

DIRECT - MELONE (County)

1 AFTERNOON SESSION  
2 April 7, 1997  
3 THE COURT: All right, sir, if you'll take the  
4 stand again, please.  
5 Thank you.  
6 CONTINUED DIRECT EXAMINATION  
7 BY MR. SMART:  
8 Q Dr. Melone, before lunch we were talking about Exhibit  
9 1362, which, as I understand it, was the tabular form  
of  
10 your information concerning the height of Dike  
District  
11 12's dike above the Burlington Northern Bridge as  
12 surveyed by you in 1993 versus the design drawing  
13 elevations that you took from the 1955 design  
14 specifications for that same dike; is that correct?  
15 A That's correct.  
16 Q And the right-hand column, then, is the difference  
plus  
17 or minus between what was actually surveyed by you in  
18 1993 and what you learned from your review of the  
design  
19 specifications; is that correct?  
20 A That's correct.  
21 Q Now, do you know that the dike was actually built to  
the  
22 design specifications?  
23 A The design drawings I had were the elevation that it  
was  
24 meant to be constructed to. It was not an as-built  
25 drawing.

9714

DIRECT - MELONE (County)

1 Q Okay. Were you unable to find any as-built drawings?  
2 A I did not locate an as-built drawing, but it was the  
3 design for the intended elevations for that dike.  
4 Q So would it be a correct statement that as far as the  
5 record for your review is concerned, you were unable  
to

6 see exactly what they built it to, but you've compared  
7 the actual 1993 elevations to what the specifications  
8 called for in 1955?  
9 A That's correct.  
10 Q And, in your experience, are there sometimes  
variations  
11 between what the specs call for and what the as-built  
12 condition is?  
13 A There sometimes are variations.  
14 Q Now, you also indicated earlier, before lunch, that in  
15 your opinion there had been no change in the elevation  
16 of Dike District 12's dike that affected flood levels  
in  
17 the 1990 flood. Do you recall that testimony?  
18 A That's right.  
19 Q All right. Well, if the average change in Dike  
District  
20 12's dike was, as you indicated on this second page of  
21 1362, six inches, how is it then that there was no  
22 change that affected flood levels during the 1990  
flood?  
23 A Well, I compared the flood levels from 1990 to the  
24 actual and design elevations that were intended for  
that  
25 dike district levee. Conceptually, if the water never

9715

DIRECT - MELONE (County)

1 gets that high it can't be a factor.  
2 What I discovered in making the comparison, in  
3 every case, the difference between the flood level we  
4 had in 1990 and the design elevation for '55 was  
greater  
5 than three feet, so the 1990 flood never got within  
6 three feet of what that levee was designed for, so  
even  
7 if there was another six inches added, on average --  
8 again, there was some points that were even lower than  
9 designed, but even on average, six inches, that just  
10 meant the water was three feet six inches lower than  
the  
11 levee crest, but in every case the 1990 flood was  
12 greater than three feet below what the levee was built  
13 to in 1955.  
14 Q In a minute I'm going to have you come down here and  
see

15 if you can draw that on a piece of butcher paper for  
the  
16 jury, but before I do that, can you identify 1363, and  
17 is that, in tabular form, the results of the  
comparison  
18 that you made with respect to the design elevations  
and  
19 the actual survey data?  
20 A That's correct.  
21 MR. SMART: Offer 1363, Your Honor.  
22 MR. HAGENS: Your Honor, may I examine?  
23 THE COURT: Yes.  
24 MR. SMART: And I might ask one more  
25 foundational question.

9716

DIRECT - MELONE (County)

1 Q So 1363 is simply a mathematical computation, taking  
the  
2 information on 1362 and comparing it to the actual  
water  
3 surface elevations that you determined from the 1990  
4 flood, correct?  
5 A Correct.  
6 MR. HAGENS: This was prepared on 4-3-97?  
7 THE WITNESS: It was printed on 4-3-97.  
8 MR. HAGENS: Okay. I'm trying to get an  
9 understanding here, was the 1993 -- I asked him if the  
10 1990 flood elevations from KCM modeling results, that  
11 column was obtained from your model; is that right?  
12 THE WITNESS: That's correct.  
13 MR. HAGENS: And the design elevations came  
14 from the design of the levee relocation; is that  
right?  
15 THE WITNESS: That's correct.  
16 MR. HAGENS: This only applies to an area  
north  
17 of where the relocation started; isn't that right?  
18 THE WITNESS: That's correct.  
19 MR. HAGENS: Beginning of the relocation?  
20 THE WITNESS: The beginning of the relocation.  
21 MR. HAGENS: Does this Exhibit 1363 tell us or  
22 the jury whether or not the strength of any of these  
23 levees have changed since 1955?  
24 THE WITNESS: This exhibit only addresses the  
25 height of the levee in comparison to the flood level.

9717

DIRECT - MELONE (County)

1 MR. HAGENS: So when you say levee profile,  
you 2 mean levee height; is that right?  
3 THE WITNESS: Which column are you reading  
4 from?  
5 MR. HAGENS: I'm talking about the summary of  
6 the exhibit on top, says Skagit River Dike District 12  
7 Levee Profile. It should be levee height; isn't that  
8 right?  
9 THE WITNESS: Another term for a survey along  
10 the levee is a profile.  
11 MR. HAGENS: Well, Your Honor, we think it's  
12 somewhat a misnomer to call it a profile, which  
13 envisions somebody's facial contours. This seems to  
be 14 more of a height measurement than a profile, so -- and  
15 also we have not been provided this before, so on  
those 16 two grounds we would object.  
17 THE COURT: Are you saying you've not had  
access 18 to the underlying data?  
19 MR. HAGENS: We may have had access -- did you  
20 provide us with the underlying data, Mr. --  
21 THE WITNESS: Yes, you have seen it.  
22 THE COURT: Okay.  
23 Mr. Anderson?  
24 MR. ANDERSON: No objection, Your Honor.  
25 THE COURT: All right. 1363 will be admitted.

9718

DIRECT - MELONE (County)

1 (Whereupon, Defendant's  
admitted 2 Exhibit No. 1363 was  
3 into evidence.)  
4 Q (By Mr. Smart) All right. Let's do it this way.

5 Before I get you down here just to draw the concept  
for 6 the jury, I'd like to go over the Exhibit 1363.  
Again, 7 you've indicated on the right-hand column of 1362 that  
8 there are these differences, over on the right-hand  
9 side, of actual height versus design height of the  
Dike 10 District 12 levee, correct?  
11 A That's correct.  
12 Q And then 1363 compares the actual and design height to  
13 the water surface profile that you determined to have  
14 occurred during the 1990 flood, so that in column one  
of 15 1363 we again have the location by -- in feet along  
the 16 levee realignment, correct?  
17 A That's correct.  
18 Q And then column number two is the levee crest  
elevation 19 that you surveyed, and that is the same number as  
found 20 on column two of 1362, correct?  
21 A That's correct.  
22 Q And then what you have is the design elevation in  
column 23 three, and that's the same as column three on 1362,  
24 correct?  
25 A Yes.

9719

DIRECT - MELONE (County)

1 Q And then the difference here on this exhibit, 1363, is  
2 that the fourth column is the flood elevation, and the  
3 fifth column is the difference between the height of  
the 4 dike and the flood elevation, correct?  
5 A That's correct.  
6 Q All right. Now, you indicated that, in every  
instance, 7 that the difference between the height of the dike and  
8 its design elevation was more than three feet higher  
9 than the water surface elevation during the 1990  
flood; 10 is that correct?  
11 A That's correct.  
12 Q So, if I understand your testimony, if there's six

13 inches of gravel or some new material on top of a --  
of  
14 the dike, then that -- the top of that would be three  
15 feet six inches over the water surface elevation and  
it  
16 would never have come into play.  
17 MR. HAGENS: Your Honor, again, this is  
18 extremely leading. I don't think counsel should be  
19 testifying.  
20 THE COURT: That was leading. I agree.  
21 Q Would you come down and draw for me, if you would,  
22 please, on this butcher paper, the concept that you've  
23 identified.  
24 A Okay. What I'm going to draw is a cross section of a  
25 levee going into a river channel, so we're looking  
down

9720

DIRECT - MELONE (County)

1 the river at a cross section of the levee. Low flows  
in  
2 a river would be right down here. As it goes higher  
it  
3 starts to go against the levee. This would be the  
4 design elevation of the levee.  
5 In 1955 an engineer sat down and said this is  
6 how high the levee should be. What we're saying,  
then,  
7 is we surveyed it in 1993 and we found in some cases  
it  
8 was a little lower, in other cases it's a little bit  
9 higher. But then we compared how did this relate to  
the  
10 1990 flood.  
11 Q Blue for water.  
12 A Actually, let me do a few things here. Here we have  
as  
13 much as 1.2 feet lower, as much as 1.5 feet higher,  
but  
14 if we compare the flood elevations in 1990, we find  
that  
15 the flood was always three feet lower than this design  
16 crest, so whether that levee was a couple inches  
higher  
17 or a foot higher or a couple of inches lower or a foot  
18 lower had no impact on this flood level that never got  
19 that high. That's what we found from comparing our

20 survey, it was meant to be built like that, how it  
21 exists today, and what the flood levels were. Never  
got  
22 that high. Never got to the low spots, never got to  
the  
23 high spots -- than three feet.  
24 Q Would you label this document for me Difference  
Between  
25 Flood Elevation of Dike District 12 Levee and Design

9721

DIRECT - MELONE (County)

1 Height.  
2 MR. SMART: And I'd like to mark that, Your  
3 Honor, as Exhibit 1363A so that we can match it up  
with  
4 the document that it relates to.  
5 THE COURT: All right.  
6 A We call it difference --  
7 Q Between flood elevation -- elevation and design height  
8 for Dike District 12.  
9 Okay. Thank you.  
10 MR. SMART: Offer 1363A, Your Honor.  
11 MR. HAGENS: Your Honor, may I voir dire on  
the  
12 exhibit, Your Honor?  
13 THE COURT: All right.  
14 MR. HAGENS: This only deals with the  
elevations  
15 from the beginning of the 1955 levee realignment;  
isn't  
16 that correct?  
17 THE WITNESS: That's correct.  
18 MR. HAGENS: This doesn't undertake to talk  
19 about the difference between November 25, 1995, and  
Dike  
20 District 12's entire dikes, but just the dikes  
beginning  
21 north -- going north of the realignment?  
22 THE WITNESS: That's correct.  
23 MR. HAGENS: When he has Dike District 12,  
24 that isn't accurate. It's only a small portion.  
25 THE WITNESS: Right. It would say 1955 dike

9722

DIRECT - MELONE (County)

1 realignment.  
2 MR. HAGENS: Your Honor, I think that this is  
--  
3 MR. SMART: We'll add in --  
4 MR. HAGENS: So this is an illustrative  
exhibit  
5 if he limits it to the realignment, because the  
6 realignment's only a small fraction of the entire  
dikes  
7 that he studied.  
8 THE COURT: I'm sorry, you said so that makes  
it  
9 illustrative?  
10 MR. HAGENS: I do think it's illustrative.  
He's  
11 not saying that this is a -- anything but a schematic  
of  
12 what is -- actual calculations depicted on the various  
13 exhibits, Your Honor.  
14 MR. SMART: I don't think that means it  
15 shouldn't be admitted as part -- along with 1363.  
16 THE COURT: Mr. Anderson?  
17 MR. ANDERSON: No objection, Your Honor.  
18 THE COURT: It will be admitted, then, in its  
19 present form, with the change having been made.  
20 (Whereupon, Defendant's  
Exhibit No. 1363A was  
admitted  
21 into evidence.)  
22  
23 MR. SMART: Thank you, Your Honor.  
24 Q All right. Now, Dr. Melone, did you also take a look  
at  
25 any rating curves with respect to the portion of the -  
-

9723

DIRECT - MELONE (County)

1 the portion of the river below the Burlington Northern  
2 Bridge in this area down in here?  
3 A Yes.

4 Q Okay. And just to refresh the jury, what is a rating  
5 curve, sir?  
6 A A rating curve is a relationship between the level of  
7 water in the river and the amount of flow in the  
river,  
8 so it's a graph that if you know the elevation of the  
9 water in the river, you can go to this graph and then  
10 determine what the flow in the river is. It's the  
11 standard procedure used by the U.S. Geological Survey  
to  
12 maintain a continuous record of flow. What they  
13 actually measure is water level and then they, based  
on  
14 this graph, they convert that to flow in the river.  
15 Q Okay. Now, Dr. Mutter put into evidence a rating  
curve  
16 which I'll show you here, Exhibit 998. The jury's  
17 already seen this one, and the testimony at that time  
18 was that the rating -- that the points on the rating  
19 curve for the 1990, 1975 and 1951 floods all fell on  
the  
20 rating curve.  
21 What does that mean with respect to the  
ability  
22 of the river to pass water down below the Burlington  
23 Northern Bridge during that time frame?  
24 A That means at that location, given -- means the  
25 relationship between the flow -- the water level and  
the

9724

DIRECT - MELONE (County)

1 flow is unchanged for a given water level, whether it  
2 was in '51 or '75 or '90, from this graph is  
unchanged,  
3 meaning there has been no changes that has affected  
that  
4 portion of the river to convey floods.  
5 Q All right. Showing you Exhibit 1364, can you identify  
6 that document?  
7 A It's a different plot that I prepared of the rating  
8 curve at the exact same USGS location.  
9 Q Okay.  
10 A This is just near the Riverside Bridge on the Skagit  
11 River.  
12 Q Okay. Does the rating curve information that you have

13 on 1364 match the rating curve information that is  
found  
14 on Exhibit 998, Dr. Mutter's rating curve?  
15 A Appears to be identical.  
16 MR. SMART: Offer 1364, Your Honor.  
17 MR. HAGENS: This was prepared in -- on April  
18 2nd, or printed on April 2nd, 1997?  
19 THE WITNESS: Yes, it was.  
20 MR. HAGENS: And had this work been done  
earlier?  
21 THE WITNESS: Yes, it was.  
22 MR. HAGENS: You just didn't have the  
printout,  
23 is that what you're telling us? You didn't have the  
24 printout earlier?  
25 THE WITNESS: It was printed out early. I

9725

DIRECT - MELONE (County)

1 reprinted it here, whatever the date was here.  
2 MR. HAGENS: What was the purpose of the  
3 reprint?  
4 THE WITNESS: I have a better printer. Prints  
5 a tidier copy.  
6 MR. HAGENS: Then we have no objections.  
7 MR. ANDERSON: No objection, Your Honor.  
8 THE COURT: All right. That will be admitted  
9 then.  
10 (Whereupon, Defendant's  
admitted Exhibit No. 1364 was  
11 into evidence.)  
12  
13 Q Showing the jury the rating curve as plotted by  
14 yourself, Exhibit 1364. Again, I'm going to focus in  
on  
15 the floods of 1951, 1951 through 1990, and all of  
these  
16 floods fit exactly on the same rating curve; is that  
17 correct?  
18 A That's correct.  
19 Q And that, again, indicates what with respect to the  
20 ability of this river to pass water?  
21 A Means nothing has changed at that location that's  
22 affected the relationship between flow and water  
level.  
23 Q All right. Now, I'd also like to show you an exhibit

24 that was placed into evidence by the plaintiffs in  
this 25 case. I'm going to have to find it. I thought it was

9726

DIRECT - MELONE (County)

1 Exhibit 47, but it's not.  
2 Actually it is. It's the last page of 47.  
3 Showing you the last page of Exhibit 47, can you  
4 identify that as a survey of Dike District 17's levee  
5 below the bridge?  
6 A That's correct. That prints a survey from the  
Riverside 7 Bridge up to the Burlington Northern Bridge on the  
south 8 side of the Skagit River.  
9 Q And that would be in this location here; is that  
10 correct? Okay, this section here, Riverside Bridge  
11 here, Burlington Northern Bridge here, correct?  
12 A That's correct.  
13 Q And how many feet is that, approximately?  
14 A Don't recall how many feet. I think it was --  
15 Q How many feet are shown on the survey? Approximately  
16 1,100?  
17 A I don't see it listed on the survey. I don't recall.  
I 18 thought it was 1,700.  
19 Q Can you take it off the stations, 2,900 to --  
20 A You're right, 1,100.  
21 Q Now, the testimony from the plaintiffs in this case is  
22 that the portion of the dike in that location below  
the 23 bridge on the Dike 17 side was filled through the  
done 24 50-year water surface profile by a project that was  
the 25 in July of 1990, and I'd like you to assume that for

9727

DIRECT - MELONE (County)

1 purpose of my question here, and that would be this  
2 distance between the actual survey profile, which is  
3 somewhat lumpy here, and this design 50-year profile  
or  
4 25-year protection level that's measured by this  
portion  
5 in here. And my question to you, sir, is have you had  
6 an opportunity to take this exhibit and plot on it  
using  
7 the plaintiffs' models' results the water surface  
8 elevation that actually occurred in 1990?  
9 A Yes, I have.  
10 Q And is 1365 your plot of what their model says that  
the  
11 water surface elevations are --  
12 A Yes, it is.  
13 Q -- for the 1990 flood in comparison to the dike height  
14 both before and after this project that, according to  
15 the testimony, took place in July of 1990?  
16 A Yes, it is.  
17 MR. SMART: And I would offer then 1365, Your  
18 Honor.  
19 MR. HAGENS: Wait a second. This hasn't been  
20 previously provided to us, has it, Mr. Melone?  
21 THE WITNESS: No, I think in my opening  
22 comment, I think I said it was a recent analysis.  
23 MR. SMART: You did this yesterday; is that  
24 right, sir?  
25 THE WITNESS: Correct.

9728

DIRECT - MELONE (County)

1 MR. HAGENS: Maybe I could just understand  
where  
2 the plot is in relation to everything you've done  
here.  
3 May I see the exhibit?  
4 THE WITNESS: I think I'm about to tell you  
5 that.  
6 MR. HAGENS: Tell me -- I don't want you to --  
7 describe the numbers, I just want you to identify  
where  
8 on the chart it is.  
9 THE WITNESS: I don't understand the question.  
10 The blue line is the water level. The blue line on  
that  
11 figure is the 19 -- November 25th, 1990, flood level.

12 MR. HAGENS: Using your model or Dr. Mutter's?  
13 THE WITNESS: Using the plaintiffs' model.  
14 MR. HAGENS: The plaintiffs being Dr. Mutter's  
15 demonstrative model?  
16 THE WITNESS: Yes.  
17 MR. HAGENS: Is there any estimation done in  
18 connection with 1365?  
19 THE WITNESS: Any estimation of what?  
20 MR. HAGENS: Any estimation done of where --  
as  
21 you notice on the right-hand side of Exhibit 47, there  
22 is a vertical line showing the feet, right?  
23 THE WITNESS: Correct.  
24 MR. HAGENS: Is this an estimated foot  
25 relationship?

9729

DIRECT - MELONE (County)

1 THE WITNESS: No, it is using the same scale  
2 that is on the figure, the same vertical scale you  
made  
3 reference to using that same scale.  
4 MR. HAGENS: But this is an estimate on your  
5 behalf?  
6 THE WITNESS: No, it's not an estimate, it's a  
7 measurement.  
8 MR. HAGENS: Okay. I understand what you've  
9 done, and if I were to ask you for it, you could  
10 actually give me the number of feet in terms of flood  
11 elevation?  
12 THE WITNESS: Yes.  
13 MR. HAGENS: Against this portion of the  
levee;  
14 is that right?  
15 THE WITNESS: That's true.  
16 MR. SMART: I'm offering 1365, Your Honor.  
17 MR. HAGENS: Your Honor, we haven't seen it.  
I  
18 recognize it's just a computation, so we're not going  
to  
19 object, Your Honor.  
20 MR. ANDERSON: Can I see the actual exhibit?  
21 No objection, Your Honor.  
22 THE COURT: All right. 1365 then is admitted.  
23 (Whereupon, Defendant's  
admitted Exhibit No. 1365 was

24  
25

into evidence.)

9730

DIRECT - MELONE (County)

1 Q (By Mr. Smart) All right. Let's put this on the  
2 screen.  
3 Now, again, for the jury, this is the surveyed  
4 profile of the levee as it existed before the project  
in  
5 July of 1990; is that correct?  
6 A Yes.  
7 Q And this is a 50-year water surface profile or 25-year  
8 protection level line that is the design, if you will,  
9 for a project to bring this levee up to a particular  
10 grade; is that right?  
11 A Yes.  
12 Q So that assuming this project were built as  
represented  
13 by the plaintiffs, this section of levee here would be  
14 filled in to this level here; is that correct?  
15 A That's correct.  
16 Q Now, this blue line represents the water surface  
17 elevation according to their own model in the 1990  
18 flood, is that what I understand your testimony to be?  
19 A That is right.  
20 Q And how far below the preexisting dike elevation is  
that  
21 water surface elevation?  
22 MR. HAGENS: Below what elevation? I'm sorry.  
23 MR. SMART: Below the preexisting dike  
elevation.  
24 A It varies with location along that. The lowest, I  
25 believe, was about two feet below. In some cases

9731

DIRECT - MELONE (County)

1 greater, but maybe I would expand on what we're  
looking  
2 at here.

3                   What we drew on the paper here was a profile  
4                   looking up and down the river.  On the drawing there  
is  
5                   a cross section of the river as if you're standing and  
6                   looking down.  This figure is different.  This is  
7                   looking -- standing in the river and looking at the  
bank  
8                   of the river.  You're looking now at a levee from the  
9                   side.  You're not -- so as you look at this from the  
10                  side, what we see on this drawing, the way the wavy  
11                  line is what existed prior to the project.  That's an  
12                  elevation of the top of the levee prior to a project.  
13                  Q     Right here?  
14                  A     Correct.  
15                  Q     Okay.  
16                  A     Then I looked at, using the plaintiff's model, what  
was  
17                  the flood elevation in 1990 and drew it in at the same  
18                  scale.  To give you a sense of the scale, if the  
19                  greatest amount of fill -- can you -- straight up from  
20                  where you're at --  
21                  Q     Here --  
22                  A     Right there.  The greatest amount of fill there,  
that's  
23                  about 1.8 feet, so if we go down from that point to  
the  
24                  blue line, that's in the order of about 2.2 feet, to  
25                  give you a scale here on the drawing.

9732

DIRECT - MELONE     (County)

1                  Q     Okay.  So assuming that this dike was changed in July  
of  
2                             1990 from its existing elevation as shown by this  
bumpy  
3                             line here on 1365 to this straight line, the 50-year  
4                             water surface profile, in July of 1990, could that  
have  
5                             had any effect on water surface elevations during the  
6                             1990 flood?  
7                             MR. HAGENS:  Wait a second.  I'm going to  
object  
8                             to that without some foundational questions as to  
9                             whether or not the dike was widened and strengthened  
so  
10                            as to prevent failures.  He's assuming all they do is

11 raise a dike and they can just raise it one for one,  
and  
12 I think even this witness will tell you you don't  
raise  
13 a levee one for one, it's two to one or three to one  
or  
14 something like that.  
15 I'm going to object to that without a  
16 foundational question as to whether or not the levee  
had  
17 been altered in its property to withstand failure.  
18 MR. SMART: The witness has already given his  
19 qualifications. He's testified that just raising a  
20 levee does not alter --  
21 THE COURT: That has been his testimony. You  
22 can follow up on cross-examination on that point.  
23 You may proceed.  
24 Q Okay. My question then, sir, is, assuming that this  
25 portion of the dike were raised to this 50-year water

9733

DIRECT - MELONE (County)

1 surface profile, could it have had any effect on the  
2 water surface elevations during the 1990 flood?  
3 A Could not have had an effect on the elevations in the  
4 November, 1990, flood.  
5 Q And why is that?  
6 A Because the flood level never got to the elevation of  
7 even the pre-project height of the levee.  
8 Q Okay.  
9 A So adding to it didn't change levels. Very similar to  
10 the drawing that we have up.  
11 Q So with respect to these two locations, this same  
12 phenomenon would be true?  
13 A Yes.  
14 Q All right, that any asserted change in the height of  
the  
15 dike would not have affected flood levels during the  
16 1990 flood because the water just simply didn't get  
that  
17 high; is that correct?  
18 MR. HAGENS: Wait a second, he's testifying  
19 again.  
20 THE COURT: That's leading.  
21 MR. SMART: I'm just trying to sum up and move  
22 on, Your Honor.  
23 THE COURT: An objection's been lodged, and it

24 is leading.  
25 Q All right. Would you describe then, sir, in summary

9734

DIRECT - MELONE (County)

1 form, the information that we've just gone over.  
2 A In summary, for the two levees that we looked at, the  
3 north Dike 12 dike, the realignment of the dike  
4 district, Dike District 17, this is further downstream  
5 from Burlington Northern Bridge to Riverside, in both  
6 cases, the 1990 flood level did not get to a pre -- in  
7 this case, this case of Dike District 17 did not reach  
a 8 pre-project level, so anything that was done to make  
it 9 higher would not come into impacting the 1990 flood.  
10 Same as for the Dike District 12, dealing with two  
miles 11 of realignment, that the flood levels did not reach  
that 12 elevation, the design height elevation.  
13 Q All right. Now, you indicated earlier this morning  
14 that, in your opinion, the log jams on the Burlington  
15 Northern Bridge were an impediment to the flow of  
water 16 downstream; is that correct?  
17 A Yes.  
18 Q And do you have an opinion with respect to whether or  
19 not that impediment raised water surface elevations  
20 during the 1990 flood upstream from the Burlington  
21 Northern Bridge?  
22 A Yes. As the Burlington Northern Bridge is a bottle  
neck 23 in the river system by itself, it's a narrow opening  
for 24 the river to pass through. It has 12 big concrete  
piers 25 holding that bridge up. And, in addition, commonly  
for

9735

DIRECT - MELONE (County)

1 major flood events, a lot of log debris comes and jams  
2 up on that bridge, and as it jams up on the bridge,  
what  
3 the water has to do -- if you think of it in terms of  
a  
4 -- it takes the water more energy to get through this  
5 log jam and the pier, more energy than it would if the  
6 log jam wasn't there. So then how does the river get  
7 that energy? It gets that energy upstream from the  
8 bridge by backing up, backing up and getting higher.  
9 That's how it gets more energy, so that it can  
overcome  
10 the energy losses, the amount of energy it takes to  
get  
11 through the log jam and the bridge.  
12 Q Okay. And have you calculated the amount of increased  
13 water surface elevation upstream from the Burlington  
14 Northern Bridge as a result of the log jams that  
15 occurred during the 1990 flood?  
16 A My calculations showed --  
17 MR. HAGENS: Wait, wait, wait, wait. I'm  
going  
18 to be object here. He needs some foundation. If he's  
19 talking about a log jam, I'd like on to know what the  
20 dimensions of the log jam are, how deep it is, how  
wide  
21 it is.  
22 THE COURT: Sustained.  
23 Q How did you calculate it, sir?  
24 A We have a modeling effort. I mentioned that we  
created  
25 a two dimensional FESWMS, F-E-S-W-M-S. It is an  
acronym

9736

DIRECT - MELONE (County)

1 for a model called the Finite Element Surface Water  
2 Modeling System. In creating this model, what a  
modeler  
3 must do is what we call calibration. Calibration  
means  
4 go out -- remember I said we surveyed 1990 flood  
5 elevations? A model, thus, to be calibrated, it must  
6 reproduce the 1990 flood elevations, and if it cannot  
do

7 that, then you say I do not have a calibrated model.  
8 We did the same with 1975 using information  
from  
9 the Corps of Engineers. We found, when we tried to  
10 calibrate our 1990 model in the vicinity of the  
bridge,  
11 upstream from the bridge we could not reproduce the  
12 observed flood levels that I surveyed with the bridge  
13 with just the 12 bridge piers, so what I did is made  
the  
14 area less. I lessened the area to account for more  
15 obstruction of the log debris, and I did that process.  
16 You put some -- you decrease the area to see if you  
17 reproduced your 1990 number. If I haven't, then that  
18 means I haven't blocked enough, so you block that area  
19 and make it smaller 'til you've reproduced the 1990  
20 observed flood level.  
21 Q Okay. And is that the standard practice in using the  
22 FESWMS computer model system for reproducing phenomena  
23 that affect certain flood levels?  
24 A It's a standard procedure for all hydraulic models.  
25 Q And what did you determine with respect to your  
efforts

9737

DIRECT - MELONE (County)

1 in that regard concerning the water surface elevation  
2 caused by the log jam during the 1990 flood?  
3 A I found that there was an increase in flood levels  
4 upstream from the Burlington Northern Bridge. It  
varied  
5 with distance from the bridge, but in the immediate  
6 vicinity, about seven inches in my opinion was  
7 attributable to the log jam itself. As we went  
further  
8 upstream it lessened to perhaps four or five inches  
9 throughout the lower Nookachamps valley.  
10 Q Okay. Did you also make a comparison between the 1951  
11 flood and the 1990 flood with respect to water surface  
12 elevations?  
13 A Yes, I did.  
14 Q Could you tell the jury what you did in that regard.  
15 A I took the same -- our modeling of the 1990 flood,  
16 which, again, was calibrated to the observed flood  
17 levels. The Corps of Engineers, in the 1967 report,  
18 showed their analysis of the 1951 flood. I compared  
the

19 two. The 1951 flood had higher flood levels further  
20 upstream near Sedro Wooley, in that area. As we went  
21 downstream from Sedro Wooley they were actually higher  
22 than 1990, and as we got closer to the Burlington  
bridge  
23 they crossed and the flood levels were a little bit  
24 lower than 1990.  
25 Q And is 1366 a comparison of the 1951 flood level

9738

DIRECT - MELONE (County)

1 information that you observed from the Army Corps --  
is  
2 it Army Corps or USGS?  
3 A Corps of Engineers.  
4 Q Army Corps and the observed flood levels in 1990?  
5 A Yes.  
6 MR. SMART: Offer 1366, Your Honor.  
7 MR. HAGENS: When did you prepare this, Dr.  
8 Melone?  
9 THE WITNESS: The exact date I don't know.  
10 Printed it probably in the last few weeks. The  
11 information I've had and been --  
12 MR. HAGENS: It was turned over to us, I know,  
13 years ago. The 23.4, road mile 23.4, is it indicated  
on  
14 here someplace on this water surface elevation?  
15 THE WITNESS: The access along here shows  
river  
16 mile 23 and 24, so 23.4 would be in between those two.  
17 MR. HAGENS: But I'm just trying to understand  
18 the exhibit. 23.4 would be where then the Highway 9  
19 bridge is located, is that --  
20 THE WITNESS: Yes.  
21 MR. HAGENS: And this is based on what data,  
did  
22 you say?  
23 THE WITNESS: The 1951 data was extracted from  
24 the U.S. Army Corps of Engineers report.  
25 MR. HAGENS: And the 1990 data came from  
where?

9739

DIRECT - MELONE (County)

1 THE WITNESS: That is the modeling effort that  
2 I undertook.  
3 MR. HAGENS: Model. Thank you.  
4 No objection, Your Honor.  
5 MR. ANDERSON: No objection, Your Honor.  
6 THE COURT: All right. 1366 will enter.  
7 (Whereupon, Defendant's  
Exhibit No. 1366 was  
admitted  
8 into evidence.)  
9  
10 Q (By Mr. Smart) All right. Now, showing the jury your  
11 graph of the results, can you identify -- actually  
maybe  
12 if you would come down here and, using the pen as a  
13 pointer, it would be easier for you to explain, and  
just  
14 tell me whether you need it to be bigger or smaller,  
and  
15 just using that as a pointer explain what you've  
plotted  
16 here.  
17 A This is a figure, it's a graph. Along this axis is  
the  
18 water elevation. This is river mile. This is  
location  
19 along the river. At a point here we're at the lower  
20 end. This is about where the USGS gauge is, and we  
see  
21 the water get higher, not deeper, but working its way  
up  
22 the river, and we get to this point and we are about  
at  
23 the Highway 9 bridge near Sedro Wooley. So then what  
we  
24 did --  
25 Q Just for clarification then, that's going from the

9740

DIRECT - MELONE (County)

1 Burlington Northern Bridge here up here to the Highway  
9

2 bridge, which is just about where my finger is; is  
that  
3 correct?  
4 A That's correct.  
5 Q So it's that section of the river?  
6 A Correct. And the comparison that I made, the dark  
solid  
7 line is what the U.S. Army Corps of Engineers said the  
8 flood profile was in 1951. The 1990 line, which is  
the  
9 squares and the dashed line came out of the hydraulic  
10 modeling that I did, another set of elevations and  
flood  
11 profile, so this is just a comparison of water levels.  
12 What it means is, for example, just arbitrarily here,  
13 picking out a spot at mile 20, we would see in 1990,  
14 higher than 1951. In -- or at the Highway 9 bridge we  
15 would see the Corps of Engineers with a higher flood  
16 level than what I calculated for 1990.  
17 Q Now, there has been testimony in this case by Mr. Ken  
18 Johnson who owns a farm that's located, oh, right in  
the  
19 middle of the Nookachamps, but it's right  
approximately  
20 here, and I can point it out on a -- Mr. Johnson's  
farm  
21 is in this location right in this area here. Does  
your  
22 -- and the testimony was that the water surface  
23 elevations for the 1951 flood and the 1990 flood were  
24 exactly three and a half inches different.  
25 Would you agree that, based on your graphing  
of

9741

DIRECT - MELONE (County)

1 the 1990 and 1951 floods, that the water surface  
2 elevations were very close at the Johnson farm?  
3 A Yes.  
4 Q And that point is shown -- you've got the Johnson  
river  
5 mile at 21.7, and that is in approximately this  
location  
6 here?  
7 A Yes.  
8 Q Is that correct?  
9 A That's correct.

10 Q So if you translate up to the graph, and it's a little  
11 bit difficult to show, it's just near where you have  
12 this black dot here?  
13 A That's correct.  
14 Q So based on the modeling that you did and the results,  
15 the information that you have received from the Army  
16 Corps with regard to elevations, you would -- would  
you  
17 be in a position to verify the testimony of Mr.  
Johnson  
18 that the water surface elevations were very similar?  
19 A That confirms that, yes.  
20 Q All right. Now, let's move on to your next opinion,  
21 which is that all topographic and physical features  
from  
22 the Burlington Northern Bridge upstream have an effect  
23 on water surface elevation. Could you explain that to  
24 the jury and how you came to that conclusion.  
25 A I think we've commented a few times today, there are a

9742

DIRECT - MELONE (County)

1 number of man-made structures and natural topographic  
2 features in the Skagit Valley. We've mentioned the  
3 Burlington Northern, their railroad, their embankment.  
4 We've mentioned the bridge, the bridge piers, the 12  
big  
5 concrete bridge piers. We've mentioned the railroad  
6 that parallels SR 20 built up there, Dike District 12  
7 and their levee, Dike District 17 and their levee.  
8 If we go upstream, we have, again, major  
9 significant flood control reservoirs. We've got Puget  
10 Power's Ross Lake, we've got -- or Seattle Light's  
Ross  
11 Lake, Puget Power's Baker Lake. All of these  
structures  
12 collectively and cumulatively affect water surface  
13 elevations. Some of them might raise a flood level,  
14 some might lower a flood level, but collectively there  
15 is a network of civil works that construction began on  
16 in the 1800s of putting civil works in the valley, in  
17 the upper basin, that have carried on since then  
18 collectively and cumulatively affect flood levels on  
the  
19 Skagit River.  
20 Q Now, you have brought with you here today photographs  
of

21 the dams and the flood control reservoirs at Baker  
lake  
22 and Ross Lake, have you not?  
23 A Yes, I have.  
24 Q Would you identify Exhibits 1367 through 1370 and just  
25 say for the record what each one is. You'll have to

9743

DIRECT - MELONE (County)

1 look at the back. Don't show them to the jury yet,  
but  
2 look at the back, identify the number and say what it  
3 is, please.  
4 A 1370 is a photograph of the Upper Baker Dam. 1367 is  
a  
5 photograph of Baker lake. 1368 is a photograph of  
Ross  
6 Lake. 1369 is a photograph of Ross Dam.  
7 Q Okay. And you're familiar with these structures, are  
8 you not?  
9 A Yes, I am.  
10 Q And these photographs are true and accurate depictions  
11 of these dams and lakes that they depict, are they  
not?  
12 A Yes, they are.  
13 MR. SMART: Offer 1367 to 1370.  
14 MR. HAGENS: When were these taken, Mr.  
Melone?  
15 THE WITNESS: The photograph of Ross Lake was  
16 take in 1971. The photograph of each of the dams was  
17 taken at the time of construction, shortly after  
18 construction, and I do not know the year of the Baker  
19 Lake photograph.  
20 MR. HAGENS: You didn't take these pictures,  
21 obviously; is that right?  
22 THE WITNESS: I did not take these  
photographs.  
23 MR. HAGENS: You didn't see these things, did  
24 you?  
25 THE WITNESS: Yes, I have.

9744

DIRECT - MELONE (County)

1 MR. HAGENS: At the time that they were --  
2 THE WITNESS: At the time of the photograph,  
3 no. I wasn't there at the time of the photograph.  
4 MR. HAGENS: Well, Your Honor, we have no  
5 objection to these. I understand why they're being  
6 offered, so we're not going to make any objection to  
7 them.  
8 THE COURT: All right.  
9 MR. ANDERSON: No objection, Your Honor.  
10 THE COURT: All right. They'll be admitted  
11 then.  
12 (Whereupon, Defendant's  
13 Exhibit No. 1367, 1368, 1369  
evidence.) and 1370 were into  
14  
15 MR. SMART: Thank you Your Honor.  
16 Q Now, would you just come down here while I hold them,  
17 and perhaps explain to the jury which they are and  
18 describe where they're located and what their purpose  
19 is.  
20 A In the upper valley of the Skagit River there are two  
21 very major flood control reservoirs. Each one has a  
22 very large dam. Behind the dam is a very large  
23 reservoir. They're operated for power. They're also  
24 operated significantly for flood control. This one is  
25 one of them on Baker River, tributary to the Skagit

9745

DIRECT - MELONE (County)

1 River, one large dam. It's a photo taken shortly  
after 2 construction. We see what the reservoir looks like,  
and 3 the amount of water that is in the reservoir is  
storage 4 for flood control that is available in the reservoir.  
5 Q You're talking now about 1367?  
6 A 1370 and 1367.  
7 Q All right.  
8 A Similarly, 1369 and 1368, we have again a very large  
9 dam, Ross Dam, the upper Skagit River. Behind the  
Ross

10 Dam, again, a very large hydropower project and flood  
11 control project in the mountainous areas, the head  
12 waters of the Skagit River that are operated for flood  
13 control. They operate for flood control. When the  
flow  
14 of the river gets to be about 90,000 cfs -- it doesn't  
15 have to get very high before they start operating for  
16 flood control. 1990 peaked at 152,000. When that  
flow  
17 got to 90,000, or any flow, 1990 or any other year,  
they  
18 begin operating these dams for flood control to reduce  
19 the flood level and flow downstream.  
20 Q And in the 1990 flood did these dams and storage  
21 reservoirs operate to reduce flood levels in the  
22 Nookachamps area?  
23 A Yes, they did.  
24 Q And has the Army Corps reported on that situation and  
25 identified how much they operated to reduce flood  
levels

9746

DIRECT - MELONE (County)

1 during the 1990 flood?  
2 A Yes. In my review of Army Corps of Engineers --  
3 MR. HAGENS: Wait a second. I object. I  
don't  
4 think he should be entitled to repeat hearsay. If  
he's  
5 done his own study, formed his own opinion, that's one  
6 thing, relying on other people's testimony, but I  
don't  
7 think he should be allowed to regurgitate what  
somebody  
8 else wrote.  
9 MR. SMART: It's a historical. Plaintiffs'  
10 experts have testified, as have others, with respect  
to  
11 the effect -- in fact, we have an exhibit that was put  
12 into evidence by plaintiffs, Exhibit 145, that is the  
13 Army Corps report in question. I don't see why Dr.  
14 Melone can't refer --  
15 MR. HAGENS: I'm objecting because he's not  
16 saying what his opinion is, he's just regurgitating  
what  
17 somebody else's opinion is.  
18 MR. SMART: Mr. Hagens has asked, throughout

19 this trial, do you have any reason to dispute this  
20 information. You know, it's clearly appropriate  
21 information for a hydraulic engineer, an expert on  
flood  
22 control.

23 THE COURT: I'm not sure, is it something that  
24 he's relied upon in the course of his -- of your  
25 analysis of this case, Dr. Melone, have you relied on

9747

DIRECT - MELONE (County)

1 that report or referenced it? You haven't prior to  
2 this?

3 THE WITNESS: I have read it. Part of my  
4 review of what I did in preparing for this trial was  
5 review Corps of Engineers reports.

6 THE COURT: Have you actually read that  
document?

7 THE WITNESS: Yes, I have.

8 THE COURT: All right. You may proceed.

9 Q (By Mr. Smart) Okay. Again, referring to Exhibit No.  
10 145, and if you'll turn to page 15, does that document  
11 indicate what the determination was with respect to

the  
12 amount of savings in terms of flood elevations at  
Mount  
13 Vernon for the November 25th, 1990 flood, if you look  
at  
14 subparagraph d.

15 A Yes. The Corps of Engineers, through their analysis,  
16 and it's the Corps of Engineers who works with the  
power  
17 companies in the operation of the dams, their estimate  
18 for the November 25th, 1990, flood was that the amount  
19 of water they held back in these large reservoirs,

they  
20 made a difference at Mount Vernon of four and a half  
21 feet in flood elevation. That is what the Corps of  
22 Engineers, again, through their analysis, and their  
23 analysis of how much water they held back during the  
24 November 25th flood, the result was a flood level

being  
25 lower at Mount Vernon, by their analysis, of four and  
a

DIRECT - MELONE (County)

1 half feet.  
 2 Q All right. Now, the flow that was predicted by the  
 Army 3 Corps of Engineers that would have occurred had there  
 4 not been the storage in the storage reservoirs was  
 what 5 amount?  
 6 A 180,000 cfs.  
 7 Q All right. And 180,000 cfs correlates to what  
 8 historical flood?  
 9 A 1906.  
 10 Q All right. And you have studied the 1906 flood as  
 part 11 of your review of documents and analysis of the river,  
 12 have you not?  
 13 A Yes, I have.  
 14 Q All right. And have you -- before I get there --  
 15 THE COURT: Before you get there, why don't we  
 16 take about a five minute stretch break. I'm seeing  
 the 17 same look I'm feeling on a couple of faces, so why  
 don't 18 we do that. Go ahead to the jury room if you like, or  
 19 walk around. We'll make it five minutes.  
 20 (Recess was taken.)  
 21 (Whereupon, the  
 following 22 occurred in the  
 23 presence of the jury:)  
 24 THE COURT: Be seated, please.  
 25

DIRECT - MELONE (County)

1 CONTINUED DIRECT EXAMINATION  
 2 BY MR. SMART:  
 3 Q Just a couple more questions, Dr. Melone, with respect  
 4 to this upriver storage and then we'll move on.

5 In your estimation, have the dams at Ross and  
6 Baker Lake provided flood storage benefits to all the  
7 residents downstream from those dams?  
8 A Yes. We just saw cited the 1990 example, but I think  
9 it's interesting to look at just the list of  
historical  
10 floods. Our 1990 flood is certainly the largest  
that's  
11 occurred since Ross Lake went into -- was built in  
1940,  
12 but if we also look when are all our largest floods on  
13 record, I don't think we have exceeded the 1990 flood  
14 until 1921.  
15 Q And was 1921 before the upriver storage dams went into  
16 effect?  
17 A Yes. So the large floods from 1921 and forward all  
18 occurred before the upriver large flood control  
19 reservoirs were constructed.  
20 Q Okay. And, again, the Army Corps report equates the  
21 1990 flood without the storage to the 1906 flood of  
22 180,000 cubic feet per second, correct?  
23 A That's their estimate of what the flood would be in  
1990  
24 without the storage.  
25 Q Okay. Now, let's move on to some other opinions.

Your

9750

DIRECT - MELONE (County)

1 next one was what, sir? Your next opinion? I think  
2 we've gotten to the point where you've just finished  
3 talking about the topographical features that were --  
4 A Yes. The next opinion that I had dealt with, it's  
5 actually very similar to opinion one, that is simply  
6 that the flood waters that go into the Nookachamps  
Creek  
7 as the Skagit River overtops its bank, that these  
flood  
8 levels relate to the Skagit River flood level. We  
9 showed earlier the black and white air photos and for  
10 how, from 1915, water entered into this area, and  
11 there's certainly a relationship. The bigger the  
flood  
12 on the Skagit River, once it goes over bank, the  
13 Nookachamps Creek area just rises right along with it,  
14 and it starts to flood in that depression area I  
15 estimated somewhere around 65,000 cfs is when we start

16 to go over bank in the lowest areas and start to back  
up  
17 into the Nookachamps Creek area, so there is --  
18 certainly the relationship between flood levels.  
19 The bigger the flood on the Skagit River, the  
20 higher the flood levels will be in the Nookachamps  
Creek  
21 area.  
22 Q And what climatic conditions cause these bigger  
floods?  
23 A Certainly in a big river like the Skagit River and our  
24 Pacific Northwest climate, we have our floods  
occurring  
25 in the November winter time, November through the

9751

DIRECT - MELONE (County)

1 winter. Combination. We have large amounts of  
2 rainfall, warm rainfall. I guess we, on the news, are  
3 referred to as pineapple express, very warm water,  
large  
4 precipitation events, and then we have, because of our  
5 Mountainous terrain, considerable amount of snow pack  
in  
6 the upper mountains, so extreme flood throughout the  
7 northwest, not just on the Skagit River, is a large  
8 rainfall event supplemented by a large amount of snow  
9 melt due to the warm temperatures and the large amount  
10 of rain.  
11 Q All right. Your next opinion was what, sir?  
12 A Had to do with Fir Island. I guess -- I've been  
13 involved, I've heard a lot about Fir Island. It's a  
14 levee failure that occurred many miles downstream from  
15 our site. I guess the question that at one point was  
16 asked, did Fir Island affect our area upstream from  
the  
17 Burlington Northern bridge, and our answer --  
18 MR. HAGENS: Wait a minute. I want to know if  
19 he did any study or work to determine that.  
20 THE COURT: It's a foundation objection.  
21 Sustained.  
22 MR. SMART: Your Honor, the opinion is already  
23 in. It's been testified to this morning.  
24 THE COURT: The opinion is in, but I thought  
he  
25 was going to --

DIRECT - MELONE (County)

1 MR. HAGENS: I'm going to object. He can  
 2 summarize his opinion and we'll get around to finding  
 3 out if he's got a supportable opinion, and I'm  
 entitled  
 4 to object. Let's see the foundation for this. I  
 think  
 5 he has to lay some foundation for this opinion, and  
 6 maybe, if it doesn't fly, we can go back and ask that  
 it  
 7 be stricken, because every expert is entitled to give  
 a  
 8 summary overview and then to get up and give an  
 9 individual opinion.  
 10 MR. SMART: I've already laid the foundation  
 as  
 11 to what he did, but I'm happy to go through it again.  
 12 Q Dr. Melone, what was it that you did, sir, in order to  
 13 evaluate whether or not the break at Fir Island had  
 any  
 14 effect on flood levels in the Nookachamps?  
 15 A I did two things. One we spoke of earlier. Remember  
 16 the rating curve at the USGS gauge, that is the  
 17 relationship between water level and flow going by the  
 18 USGS gauge. If something happened somewhere else on  
 the  
 19 river to change that relationship, then that flow  
 20 measurement that plotted right on the curve, it would  
 21 not have plotted on that rating curve. It would have  
 22 told us that something has happened to change this  
 23 rating curve because our flow doesn't plot on it any  
 24 longer.  
 25 The graph that I showed and Dr. Mutter showed,

DIRECT - MELONE (County)

1 the 1990 flow measurement plotted exactly on the  
 2 existing rating curve. That tells me that nothing has  
 3 occurred on the river that has affected that

4 relationship at the USGS gauge.  
5 Q Would that be true of places other than Fir Island?  
For 6 instance, if there was something closer to the USGS  
you 7 gauge that affected water surface elevations, would  
8 expect that to show up on a rating curve and change  
9 those plotted points?  
10 A If anything happened downstream from the USGS gauge  
that 11 affected flood levels at the gauge, it would have  
showed 12 up in the rating curve.  
13 Q Okay.  
14 A That's one of the two things I looked at.  
15 The second one was simply to look at the  
16 recording that was made by the USGS as the flood went  
by 17 that gauge. In my opinion, if something happened, if  
I 18 there was a levee failure, something quickly happened,  
19 would expect to see it on the recording of the USGS  
20 trace. Remember, I told you on the rating curve they  
21 report water level in the river, so if something had  
22 happened, I would expect on that recording to see a  
23 little break in the record or a fluctuation. I would  
24 expect to see some anomaly in that trace, and, in  
25 looking at the recorded trace at this USGS, I did not

9754

DIRECT - MELONE (County)

1 observe that.  
2 Q Okay. First of all, here's 1364, which is your rating  
3 curve, and here is the 1990 point and that, again, is  
4 right on the rating curve established by the previous  
5 floods, correct?  
6 A Yes.  
7 Q And if something had happened downstream from the USGS  
8 gauge in order to change the amount of water that was  
9 passed by the system and/or affect flood levels, would  
10 you have expected the 1990 point to be at a different  
11 location than right on the curve?  
12 A Yes, it would not have plotted on that rating curve.  
13 Q Now, you also mentioned the trace of the USGS trace of  
14 the flood; is that correct?  
15 A Yes.

1990 16 Q Showing you Exhibit 1371, is that the trace of the  
17 flood?  
18 A Yes.  
19 Q And have you marked on 1364 the time at which the Fir  
20 Island dike breached?  
21 A Yes.  
22 Q And is page one of the trace -- is time on the  
23 horizontal axis and elevation, flood height elevation  
at  
24 the gauge on the vertical axis, and page two is time  
on  
25 the horizontal axis and flood flow on the vertical  
axis;

9755

DIRECT - MELONE (County)

1 is that correct?  
2 A That's correct.  
3 MR. SMART: Offer 1371, Your Honor.  
4 THE COURT: Counsel?  
5 MR. HAGENS: What's the second page of 1371?  
6 THE WITNESS: The first page is a plot of the  
7 water level. The second plot is of the flow in the  
8 river.  
9 MR. HAGENS: By the way, these show -- have  
been  
10 printed 4-4-97; is that correct?  
11 THE WITNESS: That's correct.  
12 MR. HAGENS: This is not something you had  
13 available for the deposition?  
14 THE WITNESS: Yes, I had it for the  
deposition.  
15 MR. HAGENS: You had the data but not the  
chart?  
16 THE WITNESS: The chart was in my files.  
17 MR. HAGENS: On the stage feet of the river,  
is  
18 there a relationship -- does the chart depict a  
19 relationship between the amount of water coming down  
the  
20 river, that is if the water level -- if the water  
level  
21 as depicted in this exhibit were to go up because the  
22 flow increased, would that be related in this exhibit?  
23 THE WITNESS: Yes, that's what it is, it's a  
24 record of the increasing water level.

MR. HAGENS: No objections, Your Honor.

9756

DIRECT - MELONE (County)

1 MR. ANDERSON: No objection, Your Honor.  
2 THE COURT: All right, 13 -- and the number?  
3 MR. SMART: 1371, Your Honor.  
4 THE COURT: Great. Thank you.  
5 (Whereupon, Defendant's  
Exhibit No. 1371 was  
admitted  
6 into evidence.)  
7  
8 Q (By Mr. Smart) For the jury then, this chart shows  
9 November 25, 1990, stage and, again, although we've  
10 described it not so that they could see, on this axis  
is  
11 the flood height called stage; is that correct?  
12 A That's correct. As I mentioned, what the USGS records  
13 is water level, so this is the recording of the water  
14 level at the gauge.  
15 Q And on the horizontal axis is time in hours; is that  
16 correct?  
17 A Yes.  
18 Q And each of these increments is a four-hour period; is  
19 that right?  
20 A Yes, it is.  
21 Q So -- and you have marked the eleven a.m.  
approximately,  
22 Fir Island on November 24th, the Fir Island dike  
break;  
23 is that correct?  
24 A Yes.  
25 Q And then for the next -- let's see -- 5, 9, 13, 17,  
21,

9757

DIRECT - MELONE (County)

1 maybe 22 to -- the next 22 or so hours, is it correct  
2 that the water surface elevation continued to rise?

3 A That's correct, yes, it did.  
4 Q And so what would you expect to see if the Fir Island  
5 dike breach had affected water surface elevations?  
6 A If there was a breach or anything else that affected  
7 flood levels right where I drew this, or anywhere on  
8 this curve, I would expect to see a break. Again, I  
9 would say if something happened, I would expect, if  
10 something happened that caused water levels to go  
down,  
11 I'd expect to see a drop, or a rise, or just a change  
in  
12 the slope of this curve, some anomaly that tells me  
13 something happened for a little while here that caused  
14 things to change.  
15 This is a very smooth curve, in my opinion,  
16 tells me, combined with the information we got out of  
17 the rating curve, that nothing downstream propagated  
up  
18 to this gauge.  
19 Q And the dike break was down in this area here?  
20 A I don't know the exact location of the Fir Island  
break.  
21 Q But the gauge is in this neighborhood here, correct?  
22 A Yes.  
23 Q And, in fact, this rating curve shows us that nothing  
24 that happened downstream has affected water surface  
25 elevations at the gauge, correct?

9758

DIRECT - MELONE (County)

1 MR. HAGENS: Wait a second. That's, again, a  
2 leading question, Your Honor.  
3 A I've already stated that.  
4 MR. HAGENS: May I have my objection ruled on,  
5 Your Honor?  
6 THE COURT: Yes. All right, it was leading.  
7 Q Okay. With respect to the location of any affect of  
8 what -- describe for the jury, if you would, please,  
9 what the lack of change in the rating curve  
10 demonstrates.  
11 A I was just trying to restate what I believe I already  
12 stated. Nothing downstream occurred that affected the  
13 flood level at the USGS gauge on the Riverside Bridge.  
14 Q And if it didn't affect the flood level at the USGS  
15 gauge, could it have affected flood levels in the  
16 Nookachamps?  
17 A It could not have affected flood levels in the

18 Nookachamps.  
19 Q Now, the second page is the same information, is it  
not,  
20 simply plotted against time against flow on the  
vertical  
21 axis as opposed to water surface elevation?  
22 A That's correct. Again, that's the whole purpose of a  
23 rating curve. The USGS records the water level in the  
24 river. They use that rating curve to translate or  
25 convert it to flow, and then this is the plot of the

9759

DIRECT - MELONE (County)

1 flow.  
2 Q And, again, same with the previous graph, if there had  
3 been something downstream you would have expected to  
see  
4 a break somewhere in this curve?  
5 A Yes, I would. In my opinion, I would expect to see  
some  
6 indication.  
7 Q Okay. Your next opinion was what, sir?  
8 A Opinion number six we actually covered as part of  
9 probably opinion three. Had to do with the hydraulic  
10 model that I prepared for this study area and how I  
used  
11 it, and one of the things, one of the analyses that I  
12 did with the hydraulic model, as I spoke of earlier,  
was  
13 to focus in on this debris blockage at the bridge just  
14 to see if that was another one of the contributing  
15 factors to flood levels. As we've said already a few  
16 times today, there's many entities cumulatively and  
17 collectively all contributing to affecting water in  
some  
18 way. My goal was to say is the debris just one more  
of  
19 those pieces, and I think we explained that earlier.  
20 Q All right. Now, part of opinion number six was that  
21 there have been larger floods in the Nookachamps prior  
22 to the 1990 flood, and we were talking about that  
before  
23 lunch with respect to Exhibit 1332 and the surveyed  
24 elevations of the water surface shown in that  
25 photograph. Now, Exhibit 1332 is a photograph from

9760

DIRECT - MELONE (County)

1 1909, correct?  
2 A Yes, it is.  
3 Q And the 1909 flood had a flow of 220,000 cubic feet  
per 4 second, correct?  
5 A That's correct.  
6 Q Did you investigate the water surface elevation that  
7 would have been caused by the 1909 flood in the Sedro  
8 Wooley area?  
9 A Yes, I did.  
10 Q And how did you do that?  
11 A Okay. The pieces of information here, the water level  
12 at Sedro Wooley for that flood of 1909 was about 47.6  
13 feet.  
14 Q Where did you get that figure from?  
15 A That was a published value from the USGS.  
16 Q All right. And how did you use that in order to  
17 evaluate flood levels downstream from Sedro Wooley?  
18 A With this property, a house in Clear Lake or a  
building 19 in Clear Lake that existed in 1909, the question that  
I 20 was answering was simply was that a higher flood level  
21 than 1990. The various things that I did for this  
22 particular building, it was not under water in 1990.  
In 23 this photograph there is water surrounding the  
building, 24 so on that basis alone we have the 1909 flood being  
25 higher.

9761

DIRECT - MELONE (County)

1 I sent a surveyor out to the building and,  
2 through the survey information, calculated as best I  
3 could on this photograph a flood elevation of about 43  
4 feet. That's really the first thing I did, so that  
5 being higher, certainly, higher than 1990. Then I  
asked

6 myself, not the best photograph, is there any other  
7 supporting information to this. That's when I went to  
8 the USGS gauge, where they have 47.6 at their gauge,  
9 which certainly correlates to this flood elevation,  
10 correlates in that my opinion is that that 47 was  
about  
11 a foot and a half of what we would see at this  
location,  
12 so my opinion is that this photograph is, one, higher  
13 than 1990, two, probably not even at the peak of the  
14 1909 flood. The best I've been able to estimate is  
15 about elevation 43. I believe it was probably even  
16 higher than that during the peak of the flood.  
17 Q Okay. And how much higher did you estimate that the  
18 peak of the flood was at this location in 1909?  
19 MR. HAGENS: Well, wait a second. What would  
be  
20 the basis for this? Is this more estimation and  
21 guesstimate on his part? I want some foundation here  
22 how he's doing this estimate. I can understand him  
23 using the 47 feet.  
24 THE COURT: I think he's being asked to  
25 extrapolate back from that given number in one  
location

9762

DIRECT - MELONE (County)

1 to another, which is an area he's surveyed.  
2 MR. SMART: We talked about several --  
3 THE COURT: That's fine. You may proceed.  
4 Overruled. You may proceed.  
5 Q Okay. Your estimation of the 1909 flood levels in the  
6 location of this photograph at the peak would be  
7 approximately what?  
8 A I think it could be two feet higher than that  
photograph.  
9 Q Okay. Now, is there a certain measure of variability  
or  
10 margin of error in any of these estimates of  
historical  
11 flood levels, yours, the plaintiffs' expert Dr.  
Mutter?  
12 A There's always uncertainty in the measurement or the  
13 observation of a flood level.  
14 Q And do you find that measurement of uncertainty even  
in  
15 recorded flood levels by witnesses, for instance, who

16 are measuring things against their barn, that sort of  
17 thing?  
18 A There is always some level of uncertainty for many  
19 reasons. Did you mark -- were you there during the  
time  
20 of the highest flood level to mark it or did you get  
21 there before or after. If you got there before or  
22 after, was there evidence on a building that you could  
23 mark definitively that's the mark. Access, did you  
mark  
24 it as it was occurring or did you come back three days  
25 later and do it from memory, or did you do it based on

9763

DIRECT - MELONE (County)

1 debris from a fence line, which would be plus or minus  
2 six inches.  
3 Q And the margin of error that you use to measure  
accuracy  
4 would be how much, Dr. Melone?  
5 A I would think plus or minus six inches.  
6 Q And if your estimates or if the predictions that you  
7 make or any hydraulic engineer makes with respect to  
8 flood levels either past or future is within six  
inches  
9 one way or another, would you find that to be  
acceptably  
10 accurate?  
11 A Yes, I would.  
12 Q Now, your next opinion is what, sir?  
13 A Again, more work with the hydraulic model. Say a few  
14 words about it, hydraulic model that was put together  
15 that represents flow patterns in the valley. We do  
that  
16 by entering into the model enough information to  
17 reproduce the value. By that we mean entering  
18 topography, entering ground elevations really,  
entering  
19 ground elevations into the model. We enter in  
20 roughness, how much resistance is there to the flow.  
A  
21 forest is going to have more resistance than a  
plowable  
22 form field. You vary what the roughnesses are, and  
then  
23 the third piece of information goes in how big is the  
24 flood, so the model effectively says to itself I have

25 this much water coming down, the land looks like this,

9764

DIRECT - MELONE (County)

1 has some shape and it has forest and cleared area and  
2 channel and I'm going to flow through that, how deep  
is  
3 the water, and the model will come back and tell us  
how  
4 deep the water is, so we just created the model for  
the  
5 area as it existed in 1990 and did a modeling event  
and  
6 just generalized some numbers. Again, in the upper  
7 Nookachamps, if we took some of the lowest  
depressional  
8 areas, got as much as 12 feet of water. The  
9 Nookachamps, the lower Nookachamps Creek that we  
talked  
10 about so much as being a big depressional area, flood  
11 depths up to 22 feet of water.  
12 Q This is in 1990?  
13 A In 1990.  
14 Q Did you then perform a comparison between the flood  
15 depth elevation and/or depth that was experienced on  
the  
16 plaintiffs' properties in 1990 and flood elevations  
and  
17 depths that occurred in previous floods?  
18 A Yes, I did. Using the exact information that you saw  
19 earlier on the black and white air photographs where  
we  
20 showed what area was under water, I had to do an  
21 analysis. In order to determine what's under water, I  
22 had to do an analysis to say how deep the water is, so  
23 what I subsequently did, using that exact same  
24 information from those black and white air  
photographs,  
25 combined it with the 19 modeling results and made a

9765

1 number of tables.  
2 Again, in my opening statement I mentioned the  
3 data we collected to prepare some graphics and tables.  
4 I prepared a number of tables that just shows the  
5 variation in flood levels for all these floods that  
6 we've been talking about from 1815.  
7 Q And how did you get the flood -- how did you take the  
8 flood elevation numbers that -- for instance, for the  
9 1815 flood that you earlier identified was determined  
by  
10 the Army Corps, and translate that to the plaintiffs'  
11 properties?  
12 A Okay. Each of the floods that we have on record that  
13 the USGS has published with that flood elevation the  
14 USGS publishes a flood level, so for each of those  
15 floods I had a flood elevation from the published USGS  
16 record and, with that, in some cases I had a published  
17 number both at Mount Vernon and Sedro Wooley, so we  
know  
18 the gradient from recorded. In other cases I had it  
at  
19 one of the locations and then used the gradient of the  
20 river to estimate the water level at other locations.  
21 Q Okay. And have you then taken the topographical  
22 information from the survey that you had on Exhibit  
1359  
23 and used that to compute the actual depth of water on  
24 the plaintiffs' properties for various floods?  
25 A Yes, I have.

9766

1 Q And can you tell me if Exhibit 1372 shows that  
2 information in tabular form for a number of the  
3 plaintiffs' properties?  
4 A Yes, it does.  
5 MR. SMART: Offer 1372, Your Honor.  
6 MR. HAGENS: How did you use the maps you said  
7 you had, the overhead maps, or what do you call them?  
8 THE WITNESS: I said I used the same  
9 information that went into making the maps.  
10 MR. HAGENS: I just want to make sure we're on  
11 the same page about maps. What do you mean by --  
12 THE WITNESS: I said I used the same

13 information that I used in preparing the graphics or  
the 14 aerial photographs we showed this morning of the areas  
15 of inundation, the same data from the USGS was used to  
16 tabulate that information.  
17 Q And then is it correct that you basically did a  
18 mathematical calculation to subtract the actual  
19 elevations that were surveyed from the actual?  
20 A Yes. What we have, again, from the historical record  
is 21 an elevation. Elevation doesn't tell us depth of  
water, 22 elevation just tells us how high the water is.  
23 Another presentation, not different numbers  
but 24 just another presentation of that same information is  
to 25 take that -- take the water level, compare it to the

9767

DIRECT - MELONE (County)

1 ground elevation, subtract the water level from the  
2 ground elevation and we have a depth, so it's not a  
3 different set of data, it's just one case we present  
it 4 as an elevation. In another case we combine, we throw  
5 in the ground elevation, subtract one from the other  
and 6 we have a depth.  
7 MR. HAGENS: You're not saying you gave this  
to 8 us before today, are you, Mr. Melone?  
9 THE WITNESS: You have seen that data --  
10 MR. HAGENS: I'm talking about this particular  
11 map. I don't want to be told you've given me some  
12 2,500 --  
13 THE WITNESS: The question is, have you seen  
14 that graphic previously?  
15 MR. HAGENS: Yes, that is the question.  
16 THE WITNESS: I do not believe that you have  
17 seen that graphic previously.  
18 MR. HAGENS: Then the question would be the  
data 19 on the graphic, whether or not we have -- are you  
going 20 to tell me what we've been provided this in both data

21 form in some kind or another? Is that what you're  
going 22 to tell me?  
23 THE WITNESS: No, I'm not going to tell you  
24 that.  
25 MR. HAGENS: When was this computation

9768

DIRECT - MELONE (County)

1 deprived?  
2 THE WITNESS: This is the information we've  
3 been discussing on the black and white air photos for  
4 about three years now.  
5 MR. HAGENS: In connection with that, on your  
6 deposition on December 4th, 1995, you were asked this  
7 question. This map gives area that was flooded. It  
8 does not give depth of flooding, correct?  
9 THE WITNESS: That is absolutely correct, for  
10 the black and white graphic that we presented earlier  
11 showed area -- I think I was very clear in explaining  
12 that. It showed area of inundation. There is nothing  
13 on that black and white photograph that presents  
depth.  
14 MR. HAGENS: The thing that allowed you to put  
15 this exhibit together was you had done the elevation  
16 shootings in late '96 that then gave you the ability  
to  
17 do this kind of work; isn't that right?  
18 THE WITNESS: That's true. In part we have  
had  
19 from the beginning of the project and the formulation  
of  
20 my model and the formulation of the plaintiffs' model  
21 topographic mapping that gave us the elevations that  
we  
22 have had for some time now. The only thing that  
you're  
23 referring to is some refinement of a few spots there  
24 through an actual survey in the field.  
25 MR. SMART: But the only thing that this 1372  
is

9769

DIRECT - MELONE (County)

1 designed to depict is the mathematical subtraction of  
2 the water surface elevation for a particular year --  
3 excuse me, I got it backwards, the subtraction of the  
4 actual topographic height above sea level of a  
5 particular place from the water surface elevation for  
a  
6 previous flood, correct?  
7 THE WITNESS: That's correct.  
8 MR. HAGENS: This map doesn't undertake to  
tell  
9 the jury anything about what amount of flooding is  
10 caused by the levees, does it, just talks total  
11 flooding, isn't that right?  
12 THE WITNESS: That graphic I think is quite  
13 clear at various locations what the depth of flooding  
is  
14 or was for a number of years through history. That is  
15 what's meant and that's exactly what it presents.  
16 MR. HAGENS: I understand. Now try to answer  
my  
17 question. This map doesn't tell the jury the amount  
of  
18 flooding if any caused by the levees during the  
various  
19 events you depicted for each property here; isn't that  
20 right?  
21 THE WITNESS: The question -- I'm sorry --  
22 isn't sinking in here. The graphic is a graphic of  
23 depths. Has nothing to do with levees. Has  
absolutely  
24 nothing to do with levees.  
25 MR. HAGENS: Just total flooding; isn't that

9770

DIRECT - MELONE (County)

1 right?  
2 THE WITNESS: I don't understand total"  
3 flooding."  
4 MR. HAGENS: Total water depth as opposed to  
5 something -- as to trying to allocate that depth  
amongst  
6 what's caused by the levees.  
7 THE WITNESS: Of course it's a depth of

8 flooding. There is absolutely nothing on there that  
9 refers to levees. It is exactly what it's presented  
to  
10 be, depths of flooding for various floods for -- in  
the  
11 historical record.  
12 MR. HAGENS: Your Honor, we were not provided  
13 this exhibit. In fact, I might like a little  
14 opportunity to cross-examine the witness a little bit  
15 further in the absence of the jury if I had a moment  
to  
16 do so, Your Honor, as well as raise another objection,  
17 because I do think it's somewhat misleading. If it  
18 doesn't tell us what amount of flooding is caused by  
the  
19 levees, I'm hard pressed to understand what the  
20 relevance is except to create smoke and mirrors that  
21 these people have always flooded, without telling them  
22 why they flooded, which is what this lawsuit is about.  
23 It's grossing misleading to get into something that  
24 shows total flooding without being any effort  
whatsoever  
25 to allocate or determine what amount of flooding is

9771

DIRECT - MELONE (County)

1 caused by the levees and what portion is not caused by  
2 the levees.  
3 THE COURT: Counsel?  
4 MR. SMART: Just because Mr. Hagens has a  
5 different view of this case and what is or isn't  
6 important doesn't bear on the relevance of this  
7 document, which is simply a mathematical calculation  
8 from other evidence, exactly the same as Mr. Mutter  
did  
9 and Mr. Regan before him with respect to making  
10 calculations up here in front of the jury.  
11 MR. HAGENS: Your Honor, Mr. Mutter, unlike  
this  
12 witness, spent great hours and time determining what  
the  
13 amount of flooding was caused by the levees. This  
14 doesn't deal with that question at all. It lumps it  
all  
15 together and says, look it, these people were flooded  
16 ex-number of feet during these various events. That's  
17 not what this witness has done, Your Honor, and that's

18 why I think it's grossly misleading to get into  
19 something like this with making no effort to  
20 distinguish -- without making any effort at all to  
21 distinguish how much of this flooding was caused by  
the  
22 levees and how much of it was not caused by the  
levees,  
23 which is what the lawsuit has been about since the  
24 get-go.  
25 MR. SMART: Just because Mr. Hagens says  
that's

9772

DIRECT - MELONE (County)

1 what the lawsuit is about doesn't mean we agree. Your  
2 Honor will instruct on what the law and the jury will  
3 decide the facts. And, of course, as is shown by this  
4 document, some of these floods, like 1815 and 1856  
5 floods occurred way before there were any levees, so  
Mr.  
6 Hagens point is something that he can argue, but it  
has  
7 nothing to do with whether or not this is an  
admissible  
8 document.  
9 THE COURT: Counsel?  
10 MR. ANDERSON: No objection, Your Honor.  
11 THE COURT: I agree. It goes to the weight of  
12 it, not the admissibility. Mr. Hagens can follow up  
on  
13 those questions in cross-examination, but it's  
14 admissible for whatever value the jury wants to assign  
15 to it. And that's 1372; is that correct?  
16 MR. SMART: That's correct, Your Honor.  
17 THE COURT: All right.  
18 (Whereupon, Defendant's  
admitted Exhibit No. 1372 was  
19 into evidence.)  
20  
21 Q This is going to be a little hard to see, so what I'm  
22 going to do is have you step down here, and I have a  
23 copy of this that I can put up on the screen for  
various  
24 properties. Why don't we pick a couple of properties  
so

25  
1372.

you can identify for the jury what is depicted in

9773

DIRECT - MELONE (County)

1                                   Let's take, for instance, let's take the  
Hershaw  
2                                   property, which is located here, and you've got a  
chart  
3                                   here about -- with three different columns. First of  
4                                   all, I want you to explain what the columns are, and I  
5                                   will put this on the screen so the jury can see it  
6                                   better while we do this.  
7                    A               We go back to the black and white graphics that we  
8                                   showed this morning for a number of floods, 400,000  
cfs,  
9                                   300,000, the point being there have been some larger -  
-  
10                                  with the larger floods there have been greater depths.  
11                                  This ties into this earlier graphic. It ties into it  
12                                  that it's going to provide you an overview, a feel for  
13                                  what those depth changes are. It's not to be any more  
14                                  or any less than that, a feel for what kind of depths  
15                                  are we talking about here when we talked about 400,000  
16                                  cfs.  
17                    Q               Okay. Let's talk about the Hershaw property.  
18                    A               The Hershaw property here. Another thing I mentioned  
19                                  earlier, this depressional area here, if you live,  
20                                  for example, at the Hershaw property, what I have,  
21                                  the same years of flooding that we looked at  
22                                  earlier.  
23                    Q               What are they?  
24                    A               1815, 1856, 1906, 1951 and 1990. The last column is,  
25                                  again, when I said the elevation, doesn't tell us

9774

DIRECT - MELONE (County)

1                                  how deep it is, just tells us how high the water  
2                                  is, and we get that from the historical record.  
3                                  Tells us how high the water is, not numbers I made

4 up, numbers that came from the USGS.  
5 Then we talk about the ground elevation,  
simple 6 calculation here to create the third column or the  
7 middle column. We took this water surface  
8 elevation, we took a ground elevation, subtracted  
9 one from the other and we get a depth.  
10 Q And the ground elevation that you subtracted is the  
one 11 that was surveyed here as shown on Exhibit 1359; is  
12 that correct?  
13 A That's correct.  
14 Q And so as an example, for instance, at the Hershaw  
15 property, what would the depth of water there have  
16 been in 1990?  
17 MR. HAGENS: Same relevance objection, Your  
18 Honor. Same relevance objection. The question is  
19 what depth of the water was caused by the levees,  
20 Your Honor.  
21 THE COURT: I understand what you're saying.  
22 Overruled.  
23 A In 1990, for this reference elevation, the depth of  
24 water, I'm rounding here to the nearest foot.  
25 Remember a few minutes ago we talked about

9775

DIRECT - MELONE (County)

1 accuracy? For this table I rounded everything to  
2 the nearest foot. About three feet is about how  
3 deep the water was at this particular point on that  
4 property in 1990. Pretty high ground, about three  
5 feet deep.  
6 Q In 1951?  
7 A '51, four feet.  
8 Q And 1906?  
9 A 1906 here we're up to five feet.  
10 Q And in 1856?  
11 A 1856, again, these big floods we had before the flood  
12 control reservoirs, we're up to eleven feet of  
13 water.  
14 Q And in 1815?  
15 A 1815, largest flood we have on record, we're up to 14  
16 feet of water, or about 11 feet more historically  
17 has occurred at that location.  
18 Q All right. Let's take another example, if we could.  
I 19 don't want to take -- let's say, for instance --

20 let's take one down here by Barney Lake, this  
21 location of Mr. Lundvall's property. Do you want  
22 to do this one here? Mr. Lundvall's property,  
23 which is all of this gold shaded property in this  
24 area. Let me find that on mine. Okay.  
25 Okay. For Lundvall, in this location here, in

9776

DIRECT - MELONE (County)

1 1990, the depth would have been what?  
2 A 1990, about 16 feet here in 1990. But, again, this is  
a 3 big depressional area. We've now gone over the  
4 high ground. We're going into the Nookachamps  
5 area. Happens to be in a low area. He's got 16  
6 feet of water.  
7 Q And in 1951 how much?  
8 A '51, about the same, rounded off to the nearest foot.  
9 Q 14 feet -- 16 feet rather?  
10 A Sixteen.  
11 Q And does that match up with the observed levels  
12 testified to by Mr. Johnson not far away from this  
13 property that he had three and a half inches  
14 difference between 1951 and 1990?  
15 A It appears to support that.  
16 Q And in 1906 what was the depth at the Lundvall  
location 17 in that location?  
18 A Up about three feet higher, to 19 feet.  
19 Q 1856?  
20 A Again, remember, we're getting into the big historical  
21 floods, jumping up to 24 feet.  
22 Q 1815?  
23 A Up to 28 feet is what we see here. You may not have  
24 noticed this. The difference say between '90 and  
25 1815 is about eleven feet at both locations. Here

9777

DIRECT - MELONE (County)

1 we've got 3 versus 14, here we've got 16 versus 27,

2 so the difference is the same but your depths of  
3 water are affected by your ground elevation. If  
4 you're down in a hole or depression you're going to  
5 have deeper floods than someone who is up on higher  
6 ground.  
7 Q I'm not going to go through anymore with the jury, I'm  
8 sure that they can read the chart, but basically  
9 does the same relationship carry through,  
10 approximately eleven feet of difference between  
11 1990 and 1815?  
12 MR. HAGENS: Wait, wait, wait. At what  
13 location?  
14 MR. SMART: At all locations.  
15 MR. HAGENS: Eleven feet at all locations in  
16 Skagit County?  
17 MR. SMART: Approximately at all locations  
18 shown on the map.  
19 THE COURT: That appears to be the property.  
20 Q Is the relationship approximately the same?  
21 A It's approximately true for the points that we have  
22 shown on this graphic.  
23 Q All right. Now, your next opinion is strengthening  
the  
24 levees does not result in higher flood levels; is  
25 that correct?

9778

DIRECT - MELONE (County)

1 A Yes, it is.  
2 Q Could you explain that concept to the jury. I know  
3 we've been over a little bit, but why don't you --  
4 A Okay. Couple things about strengthening. What  
affects  
5 flood levels is really the question we need to ask  
6 ourselves. If you have a higher levee and the  
7 flood levels get up that high, you perhaps have  
8 done something that has affected flood levels, but  
9 if you do not change the elevation, if you do not  
10 change the height of a levee, then it cannot change  
11 the elevation of a flood. The flood doesn't know  
12 what the levees made of. All the flood knows is  
13 how high it is. That's all the flood -- that's all  
14 the water molecule knows is how high it is, so you  
15 do not -- strengthening of the levees does not  
16 result in higher flood levels.  
17 Q Does the amount of water on a level, in other words in  
18 elevation, is there a correlation between how high

19 the levee -- is there a correlation between how  
20 high the water gets on a levee and its propensity  
21 to fail?  
22 A Certainly, as the water rises on the levee, I guess  
our  
23 experiences are that levees would tend to fail at  
24 some peak in the flood or, as the flood level gets  
25 higher on the levee, the higher the water level

9779

DIRECT - MELONE (County)

1 gets. If there's to be a breach, that's when I  
2 would expect it to occur. And in saying that, what  
3 we're also saying is does it increase flooding, or  
4 the area -- whatever is going to be flooded is  
5 already flooded. The waters come up, it's reached  
6 the top of the levee. If the levee breaks at that  
7 time, all this area has already been flooded so it  
8 doesn't change or reduce the amount of area  
9 flooded, it's already been flooded prior to the  
10 break in the levee. The water's come up, land has  
11 gone under water, levee breaches. If there's any  
12 effect at all from that breach, maybe the water  
13 will drop, but that area has already been flooded.  
14 Q Okay. Now, you also had some opinions concerning the  
15 plaintiffs' or Dr. Mutter's dike versus no dike  
16 theory. Why don't you restate your opinion, if you  
17 would, please, so I don't get it wrong, and I'll  
18 ask you for the basis of that.  
19 A Okay. My earlier comment, very first comment this  
20 morning was one of the plaintiffs' approach, and  
21 I'm talking just the approach to comparing a dike  
22 and a no dike scenario. I said it did not make  
23 sense to me, and the reason I said it doesn't make  
24 sense to me, and I'm talking the approach, we have  
25 an event in November, 1990, that really happened.

9780

DIRECT - MELONE (County)

1 We know it. We measured it. We modeled it. It

2 really happened. Now we're trying to compare that  
3 event to a scenario that's never existed in the  
4 history of the Skagit River.

5 Q And what is that scenario that's never existed?

6 A The scenario that's never existed is simply to take

out

7 the levees, claim that that is the effect of the  
8 levee. From my opinion, for this comparison, to  
9 make any sense, we have to have a base case, and  
10 the base case is if I'm going to look at a case  
11 with no levees, then I have to go back in time to a  
12 point when there weren't levees, and if I'm going  
13 to do that, then I have to put everything else that  
14 was in place.

15 I think we've spoken a few times today, there  
16 are a lot of things going on in this valley.  
17 Burlington Northern Railroad, the bottle neck at  
18 the bridge, the railroad across SR 20, Dike  
19 District 12, Dike District 17, big flood control  
20 reservoirs. If we're going to look at a no dike  
21 scenario, in my opinion, the base case has to be  
22 back in time, back in time when there were no  
23 levees and what physical conditions existed at that  
24 time. Take the reservoirs out, put in what -- put  
25 the forest back in.

9781

DIRECT - MELONE (County)

1 I think what we're doing now, in my opinion,  
is

2 apples and oranges. It's an interesting exercise  
3 but, in my opinion, it doesn't provide the base  
4 line condition for comparison to the time when  
5 levees did not exist. And what I'm suggesting is a  
6 situation, to make this a proper comparison, is to  
7 have a base line that goes back in time, no  
8 levees. Put everything else back in place and then  
9 we've got apples and apples and, in my opinion,  
10 that's the appropriate comparison that would have  
11 to be made.

12 Q Now, did Dr. Mutter take out the reservoirs, the  
13 upstream reservoirs when he did no levee analysis?

14 A It did the same scenario as if the reservoirs --

15 Q Did he put in the forest that had been there?

16 A I'm not aware of that anywhere in the basin, or even

on

17 our local flood plain that used to be forested, and

18 how water would move through our local flood plain  
19 would be different in more of an agricultural  
20 setting.  
21 Q And do you -- if this lawsuit is attempting to measure  
22 or attempting to assess whether or not there is  
23 anything done by Skagit County during a particular  
24 time period to increase water surface elevations in  
25 the Nookachamps, does the model that has been

9782

DIRECT - MELONE (County)

1 developed by the plaintiffs, in your opinion, make  
2 hydrologic engineering sense?  
3 MR. HAGENS: I'm going to object to that  
unless  
4 there's some foundation laid as to what analysis  
5 this gentleman has done, if anything, as to what  
6 Skagit County has done or not done with respect to  
7 these levees.  
8 THE COURT: I'm sorry, I didn't understand the  
9 question.  
10 MR. SMART: I'll rephrase the question.  
11 My question is simply whether or not he thinks that  
the  
12 plaintiffs' model makes sense for the purpose of  
13 evaluating what Skagit County has done with respect  
14 to these levees.  
15 MR. HAGENS: Well, again, Your Honor, I think  
16 some foundation should be laid as to -- my  
17 understanding, this witness hasn't studied the  
18 projects or Skagit County's involvement in them, so  
19 I think some foundation has to be laid as to  
20 whether he knows about Skagit County's involvement,  
21 the relationship between the dike districts and the  
22 county, the funding --  
23 THE COURT: Except I think the point of the  
24 question is -- presumes that the witness can  
25 evaluate the modeling that was done by Dr. Mutter.

9783

DIRECT - MELONE (County)

1 MR. SMART: Right.  
2 THE COURT: And then address it from the  
3 standpoint of whether or not any activity, whether  
4 the county did it or anyone else, or the effects of  
5 any activities that have occurred since the  
6 development of the Skagit County.  
7 MR. HAGENS: I would have no objection to that  
8 question, Your Honor.  
9 THE COURT: So I probably confused Dr. Melone  
10 with my paraphrasing of your question. You go  
11 ahead and I'll allow you to do that.  
12 Q Dr. Melone, if this case is about assessment of what,  
if  
13 any, effect Skagit County has had on increased  
14 flood levels in the Nookachamps, does the  
15 plaintiffs -- in your opinion, does the plaintiffs'  
16 expert's model make hydrologic engineering sense to  
17 address that question?  
18 A The model does not, I think, assign responsibility,  
but  
19 it represents structures, as we've talked about  
20 today, that all collectively and cumulatively  
21 affect water levels in this valley, none of which  
22 am I aware are the county's structures.  
23 Q And, in fact --  
24 MR. HAGENS: Objection. That last one, I want  
25 some foundation as to what he knows about what the

9784

DIRECT - MELONE (County)

1 county did or didn't do. I took this gentleman's  
2 deposition. He made no effort to find out who did  
3 what. That's what I was worried about, that he  
4 would sneak some question like that that would  
5 require a conclusory statement without laying any  
6 foundation as to what effort he's made --  
7 THE COURT: That's a fair objection. The last  
8 part of the question did presume some knowledge on  
9 his --  
10 MR. SMART: It doesn't presume any knowledge,  
or  
11 wasn't intended to.  
12 Q My question is, the model that they prepared doesn't  
13 attempt to segregate out the activities of anybody  
14 in terms of development of a system of civil works  
15 from the start of time to the present day, does it?

16 A Not that I'm aware of.  
17 Q And it doesn't assign responsibility, doesn't attempt  
to  
18 attribute responsibility to any particular  
19 individual, so that all they have presented is  
20 something that is a measurement of what happened in  
21 the 1990 flood versus a mythical condition that  
22 never existed back before the levees existed; is  
23 that right?  
24 A I believe that's been my testimony, yes.  
25 Q And even with respect to that mythical condition that

9785

DIRECT - MELONE (County)

1 existed back -- Mr. Mutter referred to as the turn  
2 of the century in Exhibit 955, it doesn't describe  
3 the actual conditions at the turn of the century  
4 does it?  
5 A No, it does not.  
6 Q Because it doesn't take out the upriver storage, it  
7 doesn't take into account the forest cover or  
8 changes in topographic conditions and the forest  
9 cover, things like that. Doesn't do any of that,  
10 does it?  
11 MR. HAGENS: Your Honor, that's a leading  
12 question, Your Honor.  
13 THE COURT: That's fine. Go ahead. You may  
14 answer.  
15 A It does not create what I would call the proper base  
16 case for comparison.  
17 Q Okay. Now, even assuming that the plaintiffs' model  
had  
18 been premised on some proper base case scenario,  
19 you indicated that you had identified some problems  
20 or flaws in it; is that correct?  
21 A That's correct.  
22 Q And can you tell me what those flaws are?  
23 A Okay, again, prefacing the same as you have, I've  
given  
24 my opinion and concerns on the approach. Aside  
25 from that, aside from the approach, I have two

9786

DIRECT - MELONE (County)

1 concerns related to the calibration of the model.  
2 Remember when I mentioned the reason you do a  
3 calibration is to show that your model reproduces  
4 an event that has actually occurred? Having done  
5 that, then you can apply your model to other  
6 conditions. I had two concerns. One, I would --  
7 one had to do with the debris buildup upstream from  
8 the bridge. The high water marks that I surveyed  
9 upstream from the bridge show a debris buildup at  
10 the bridge and, in my opinion, there were not  
11 adequate calibration points in the plaintiffs'  
12 model to recognize the debris buildup. That was  
13 point one. Two, which I think is a very  
14 significant one, the exhibit that we're looking at  
15 here that's called turn of the century --

16 MR. SMART: And, for the record, this is  
17 Exhibit 955.

18 THE COURT: All right, thank you.

19 A It's noted as "turn of the century", and it lists a  
20 flood elevation of elevation 31 at the BNR bridge.  
21 Q Okay. And in your review of Dr. Mutter's modeling,

did

22 he, in fact, consistent with this exhibit then, his  
23 testimony in the trial which you weren't here for,  
24 was there, in your review of his model, an  
25 elevation that was computed by the model to be 31

9787

DIRECT - MELONE (County)

1 feet at the Burlington Northern Bridge during -- in  
2 his no levee scenario?  
3 A Yes, I would believe that's where the plaintiffs got

the

4 information on the model.  
5 Q And you've seen that number in your review of Dr.  
6 Mutter's model, correct?

7 A Yes.

8 Q And the turn of the century condition, that's back in  
9 this -- where did our floods go? That's back in  
10 this 1897 to 1906 time frame where we had two  
11 floods of 190 and 180,000 cubic feet per second,  
12 correct?

13 A That's correct.

14 Q What was the concern about this particular number that  
15 was produced by Dr. Mutter's model?  
16 A Okay. On that chart, or on the graphic you're  
showing,  
17 the table, it states "Turn of the century, a time  
18 period," and what's the top line say?  
19 Q It says Mutter, Water Surface Elevations, Elevation  
20 Condition -- strike that. I want says Mutter,  
21 Water Surface Elevation, Turn of Century Condition.  
22 A Okay. Turn of the century means a no levee. This is  
a  
23 model result of a no levee scenario at the turn of  
24 the century. I looked into the historical record  
25 published by the USGS. In that public record, in

9788

DIRECT - MELONE (County)

1 their published record is an estimate of the flood  
2 elevation at the Burlington Northern Bridge in the  
3 1906 flood, and that flood elevation is 37.  
4 My concern, and from a calibration point of  
5 view, is elevation 37 for that location at  
6 approximately the turn of the century, as this  
7 table says, is far higher than the elevation 31  
8 that came out of the model.  
9 Q Now, showing you Exhibit 1394, is that the -- can you  
10 identify that, sir?  
11 A Yes, I have it.  
12 Q Can you identify it for me, please?  
13 A This is just a photocopy from records published by the  
14 USGS where they publish annual flow data and they  
15 provide summaries of water levels from other  
16 extreme floods. It's an annual publication of  
17 their record by the USGS.  
18 Q And there's been testimony from, frankly, all the  
19 experts in this case the USGS is a standard source  
20 of information for hydraulic engineers; is that  
21 correct?  
22 A USGS is the government agency that monitors stream  
flow,  
23 records it, publishes it.  
24 Q And does the Exhibit 1394 -- excuse me, is that the  
25 right number?

9789

DIRECT - MELONE (County)

1 A 1373.  
2 Q I'm sorry, does Exhibit 1373 have in it the published  
3 height of the flood in 1906 at the Burlington  
4 Northern Bridge.  
5 A Yes, it does.  
6 Q And that number is?  
7 A And it is the elevation 37 feet that I mentioned.  
8 MR. SMART: Offer Exhibit 1373, Your Honor.  
9 MR. HAGENS: Mr. Melone, you're aware, you  
read  
10 Mr. Mutter's deposition that he calibrated in  
11 accordance with the 1975 flood. Do you recall him  
12 testifying to that, that he calibrated his model  
13 using the 1975 flood?  
14 THE WITNESS: I would imagine he could have.  
15 MR. HAGENS: In fact, he did, if you read his  
16 testimony.  
17 THE WITNESS: Okay. He calibrated.  
18 MR. HAGENS: What does some flood in 1908 have  
19 to do with the calibration using a 1975 flood?  
20 MR. SMART: That doesn't have anything to do  
21 with the admissibility.  
22 MR. HAGENS: Yeah, it does. What's the  
23 relevance of this?  
24 THE COURT: I agree. It does. Go ahead. You  
25 may ask.

9790

DIRECT - MELONE (County)

1 MR. HAGENS: So can you tell me what a 1908  
2 event has to do with a calibration that was based  
3 on a 1975 event?  
4 THE WITNESS: A calibration is a process of  
5 convincing yourself as a modeler that your model  
6 reproduces an event that has occurred. This  
7 modeling simulation is called the no levee  
8 scenario. It's not the 1975, it is called the no  
9 levee scenario representing the turn of the  
10 century. What I am saying is the published record  
11 says at the turn of the century, the flood  
12 elevation at that point was 37, which tells me, as

13 a modeler, I have to ask myself is my model  
14 correct. I am not reproducing this elevation 37.  
15 MR. HAGENS: In other words, you're not saying  
16 that -- what you're saying is this is a check on  
17 the calibration then to use 1908, even though you  
18 used 1975?  
19 THE WITNESS: I'm certain that 1975, when he  
20 calibrated to 1975 he used 1975 conditions. When  
21 he did his no levee, obviously it was not 1975  
22 condition, it was a no levee condition, to which a  
23 modeler has to ask himself now that I've done this,  
24 how do the numbers look. And what I am saying is  
25 the plaintiffs' model had a number that said at the

9791

DIRECT - MELONE (County)

1 Burlington Northern Bridge would be 31 at the turn  
2 of the century.  
3 I'm making a very simple point. The published  
4 record says at the turn of the century that the  
5 flood level of 37 was observed there, to which you  
6 have to ask yourself is the model properly  
7 reproducing that no levee scenario.  
8 MR. HAGENS: No objection, Your Honor.  
9 THE COURT: All right. Counsel?  
10 MR. ANDERSON: No objection.  
11 THE COURT: We need to take the remainder of  
our  
12 recess. It ran a little over five minutes. Let's  
13 make this ten minutes. That will give us a good  
14 break, and go from there.  
15 Thank you.  
16 (Recess was taken.)  
17 (Whereupon, the  
following  
18 occurred in the  
19 presence of the jury:)  
20 MR. SMART: Your Honor, with respect to 1373,  
I  
21 can't remember if the objection was withdrawn or it  
22 hadn't been ruled on yet, but Sally didn't be show  
23 it as admitted.  
24 THE COURT: I think it was withdrawn.  
25 MR. HAGENS: Yes, it was, Your Honor

DIRECT - MELONE (County)

1 THE COURT: So it will be admitted then. In  
2 fact, I think Mr. Anderson had just been able to  
3 get in the fact that he had no objection as well.

4 MR. ANDERSON: Yeah.

5 THE COURT: Thank you.

6 (Whereupon, Defendant's  
7 Exhibit No. 1373 was  
8 admitted  
9 into evidence.)

10 Q Showing the jury then 1373, this is the USGS Water  
11 Resources Data for 1994, and it indicates, as  
12 testified to a moment ago, that the -- I got to  
13 find it. Here we go. That the flood elevation for  
14 1906 was 37 feet at the Great Northern, now the  
15 Burlington Northern Railway; is that correct? Is  
16 that correct, Dr. Melone?

17 A Yes, it is.

18 Q Now, prior to the time you testified in this trial, in  
19 fact, several months ago now, the plaintiffs put into  
20 evidence an exhibit that they said was the historic

data  
take

21 from the USGS. I'd like you to come down here and  
22 a look at Exhibit 200 and see if you can find this 37  
23 foot elevation anywhere on Exhibit 200.

24 A No, I do not see it on this exhibit.

one

25 Q And, in fact, their exhibit starts in the year 1907,  
year after the 1906 flood; is that correct?

DIRECT - MELONE (County)

1 A Yes, it is.

2 Q All right. Now, the 37 foot elevation was for a flood  
3 of 180,000 cubic feet per second, correct?

4 A Yes.

5 Q Have you estimated what the elevation would be that is  
6 implicated by the 37-foot elevation for a flood of

7 152,000 cubic feet per second like the 1990 flood?  
8 A Yes.  
9 Q And how can you have you done that?  
10 A I used, again, the rating curve that gives some  
11 relationship in this area between level of water and  
12 flow. If we were to take this 180,000 that occurred  
in  
13 1906, produced an elevation of 37, if I backed that  
down  
14 to 152,000, I would say the water level would be based  
15 on the rating curve about two and a half feet less  
than  
16 elevation 30, so 34.5.  
17 Q So for a flow of 152,000 cubic feet per second as  
18 represented by the Mutter turn of the century  
condition,  
19 rather than an elevation of 31 feet, we should see an  
20 elevation of 34.5 feet; is that correct?  
21 A That would be my estimate based on the published  
record  
22 for a higher flow in that year.  
23 Q Now, if there's three and a half feet of difference  
24 between what Dr. Mutter's model computes as the turn  
of  
25 the century condition and the actual number as

9794

DIRECT - MELONE (County)

1 determined from the observed level, is that an error  
2 that is going to be propagated throughout this  
3 computation of differences in flood levels supposedly  
4 caused by the levees?  
5 A Yes.  
6 Q All right. And could you come down and, referring to  
7 Exhibit 210, explain to the jury how that occurs.  
8 A Again, and I want to preface this comment by my  
earlier  
9 opinion that I don't endorse -- I do not feel this is  
10 the base case for comparison for the reasons that I  
have  
11 discussed with you. Putting that aside, we're asking,  
12 if this isn't six feet -- you see how it is here, six,  
13 five, four, three, two, gets less as we go upstream  
the  
14 way this is, six, five, four, two, if this number  
isn't

15 six, it's three and a half, then it's going to be  
three 16 and a half here and something less, and the same way  
17 less, where we're here down to .5 or 1, we may be down  
18 -- I don't know, we may be down to something in the  
19 order of 1 way back here. It's definitely three and a  
20 half feet less, will propagate upstream in a similar  
21 fashion that these numbers do, and get to much smaller  
22 numbers. Every single number up here will be  
something 23 less than three and a half, given that observation  
from 24 1906.  
25 Q Is it common practice for hydrologic engineers to take

9795

DIRECT - MELONE (County)

1 their computed model numbers and compare them against  
2 the actual numbers that are recorded by the USGS?  
3 A It's common practice to use all the information you  
have 4 available to you in developing a model. We have to  
keep 5 in mind -- you have to input the right information  
into 6 the model so it can give you good information back,  
and 7 the only way that you know you're doing that is if  
8 you've reproduced some event that's occurred in the  
9 past.  
10 Q And do you have any explanation for why Dr. Mutter  
left 11 out this 37-foot elevation, which is an observed  
12 elevation by the USGS at the Burlington Northern  
Bridge? 13 MR. HAGENS: I'm going to object to the form  
of 14 the question. There's no testimony that Dr. Mutter  
left 15 it out. He calculated his model on the '75 flood  
16 flows. What he's saying, maybe he shouldn't have  
taken 17 it into account, but there's no evidence he left it  
out. 18 Q Let me ask you this question. Do you have any  
19 explanation for why the plaintiffs left out this  
20 observed elevation from Exhibit 200?

21 A No, I certainly would not have any explanation.  
22 Q And do you have any explanation for why there's an  
23 apparent leaving out of the number in terms of the  
24 calibration process so that instead of 34 and a half  
25 feet you get 31 feet --

9796

CROSS - MELONE

1 A No, I wouldn't know.  
2 Q -- in their turn of the century condition?  
3 A I have no explanation.  
4 Q Thank you Dr. Melone. I don't have any further  
5 questions at this time.  
6 THE COURT: All right, Mr. Hagens.  
7 CROSS EXAMINATION  
8 BY MR. HAGENS:  
9 Q Good afternoon, Dr. Melone. How are you this  
afternoon?  
10 A Good.  
11 MR. SMART: Excuse me, Your Honor, just one --  
12 Sally correctly points out, I thought I had offered  
13 1361, the other rating curve. She says I didn't.  
14 THE COURT: I didn't listen.  
15 MR. HAGENS: No objection.  
16 THE COURT: 1361 will be admitted.  
17 I'm sorry, Mr. Anderson, you haven't had any  
18 objections along the line of --  
19 MR. ANDERSON: No, no objection.  
20 (Whereupon, Defendant's  
admitted Exhibit No. 1361 was  
21 into evidence.)  
22  
23 THE COURT: When you finally do, you'll let me  
24 know.  
25 MR. ANDERSON: I will, Your Honor.

9797

CROSS - MELONE

1 THE COURT: That was the -- you know how I  
make 2 a little name for it. That's the second rating curve?  
3 MR. SMART: Yes, Melone rating curve.  
4 Q (By Mr. Hagens) On that last point before we get to  
5 this, you understand -- you read Dr. Mutter's  
6 deposition, didn't you?  
7 A Yes, I did.  
8 Q You understand he calibrated using the 1975 numbers,  
9 didn't you?  
10 A Yes, I did, yes.  
11 Q He didn't go back before 1975, did he, to calibrate  
his 12 model?  
13 A Pardon me?  
14 Q He didn't go back?  
15 A What do you mean, he didn't go back?  
16 Q In time to other events to calibrate his model.  
17 A That was my point.  
18 Q I understand it was your point. When you did your  
19 model, what did you do?  
20 A We calibrated to the 1990 event.  
21 MR. SMART: Excuse me, Your Honor. Could the  
22 witness finish his answer, please.  
23 THE COURT: Right.  
24 A He represented a condition that he called the turn of  
that 25 the century without making reference to information

9798

CROSS - MELONE

1 was available at the turn of the century. That was  
not 2 the 1975 model. That was a different model. That was  
a 3 model that had no comparison to 1975. It was a model  
4 that we remove the levees, this exercise of remove the  
5 levees without changing anything else, and it is no  
6 longer the '75 model.  
7 MR. HAGENS: Your Honor, this is not  
responsive 8 to my question, which asked if he calibrated using  
data 9 back in 1906 on his own model. He wants to go back  
and 10 make a big argument about what our expert did or  
didn't

11 do. I just asked him whether his model was calibrated  
12 using any 1906 data. I think I'm entitled to an  
answer  
13 to that question.  
14 MR. SMART: Your Honor, that was the next  
15 question that was asked over the answer which was, to  
16 the earlier question, which was what did Dr. Mutter  
do,  
17 and that's what Dr. Melone is now answering.  
18 THE COURT: Right. But I think the answer has  
19 become non-responsive to either one.  
20 A I'd be happy to answer. I did not calibrate to 1906  
21 because I did not do a 1906 computer run.  
22 Q I understand that. You didn't use any 1906 data to  
23 corroborate or calibrate your model runs at all, did  
24 you. Just yes or no to that?  
25 A There is no yes or no. I did not do a no levee or a

9799

CROSS - MELONE

1 1906 or turn of the century computer run.  
2 Q I understand that, but --  
3 A That was the question.  
4 Q No, that wasn't the question. The question was did  
you  
5 use any 1906 data to calibrate whatever computer runs  
6 you did do. That can be answered yes or no.  
7 A I used the 1990 flood data to calibrate my 1990 flood  
8 model.  
9 Q In answer to my question, you didn't use any 1906 data  
10 to check the calibration of your computer model, did  
11 you?  
12 A No, I would have no reason to use the 1906 model.  
13 Q Let's try to get back to basics here, Dr. Melone.  
This  
14 is Exhibit 202. It's probably not as fancy as some of  
15 the nice things you put together, but I take it it  
16 pretty much tells the whole story. That is if you put  
17 levees on one side of the river and don't have them on  
18 the other, the effect will be you're going to have  
more  
19 effect on flooding on the area that doesn't have  
levees.  
20 A As a text book example of putting levees in and  
changing  
21 absolutely nothing else, I would agree with that.  
22 Q Then the question becomes, having done that, putting

23 levees on one side of the river and not on the other,  
24 the consequence of that is that the people on the  
right  
25 bank here around Burlington didn't get flooded in  
1990,

9800

CROSS - MELONE

1 people on the left bank, our clients, did. You  
2 understand that to be the case?  
3 A I thought you told me this was a conceptual sketch.  
Is  
4 this an actual sketch?  
5 Q You know that to be the effect, do you not?  
6 A I'm trying to understand what you're showing me so I  
can  
7 answer.  
8 Q Let's try a little harder.  
9 A Is this conceptual?  
10 Q You understand that our clients got flooded in 1990.  
11 You understand that to be the case; isn't that right?  
12 A I understand that your clients have been flooded from  
13 the beginning of time.  
14 Q So our clients have been there from the beginning of  
15 time, is that your testimony, Mr. Melone? Can we not  
be  
16 smart? Can you try to answer the questions this  
17 afternoon?  
18 A Can you repeat the question, please.  
19 Q Yes. You understand our clients were flooded in 1990;  
20 is that right, Mr. Melone?  
21 A I understand that your clients were flooded in 1990.  
22 Q And you understand that the people in Burlington and  
23 Sedro Wooley -- strike that -- Burlington and Mount  
24 Vernon weren't flooded in 1990, correct?  
25 A I understand that.

9801

CROSS - MELONE

1 Q And one of the reasons our clients were flooded and  
the 2 people in Mount Vernon and Burlington weren't is  
3 because, unlike the people in Burlington and Mount  
4 Vernon who are protected by levees, they are not, as  
5 depicted in Exhibit 202, right?  
6 A I agree that the levee prevented residents of  
Burlington 7 from being flooded. I do not agree that that made  
8 flooding any worse for your clients.  
9 Q So you think they would have suffered the same amount  
of 10 flooding with or without these levees, is that your  
11 testimony?  
12 A I think my testimony today has been the comparison of  
13 apples and apples to a base case, that if we're going  
to 14 remove the levees, we must go back to a point in time  
15 when there were no levees.  
16 Q Let's try to answer.  
17 A That's base case.  
18 Q I'm not interested in getting into your base case, I'm  
19 trying to get my question answered, which is you said  
20 they wouldn't have suffered any greater flooding had  
21 there not been this situation as depicted on Exhibit  
22 202. Did I understand you correctly?  
23 A I said that I don't believe that your clients were  
24 flooded any worse than they would have if we went back  
25 in time to when there was a no levee condition.

9802

CROSS - MELONE

1 Q Okay. But you haven't done that analysis so you  
really 2 don't know how much they would have been flooded if  
we'd 3 gone back in time and put all our clients back on that  
4 property and the forest back in there and taking the  
5 reservoir out. You haven't done that work so you  
really 6 can't come to that conclusion, can you, Dr. Melone?  
7 A That's correct.  
8 Q You made an opinion right there that you didn't have  
any 9 basis for, isn't that right, Mr. Melone.  
10 A No, that is not correct.  
11 Q Let's try another question.

12 A Can I answer the question? I have stated that there  
are  
13 a number of structures out there that impact flood  
14 levels. All of them, including the Burlington  
Northern  
15 Bridge, the Burlington Northern Railroad and the dikes  
16 and the flood control reservoirs all have an impact.  
17 Q I understand that, and that gets me to my next point.  
18 The plaintiffs' expert, as you understand it, and you  
19 said it correctly, took out all the levees, said how  
20 much would the plaintiffs have been suffered if all  
21 those levees had been removed, and he came up with  
22 Exhibit 210, and in addition to 210, he came up with  
23 Exhibit 211, a summary of the flooding caused by the  
24 levees, okay. This is the flooding that he attributes  
25 to the levees. You take the levees out, these clients

9803

CROSS - MELONE

1 have -- to summarize, it was about one and a half to  
2 four feet less flooding, maybe not quite four feet. I  
3 think the highest number here is 3.8 or 9 or something  
4 like that on this list. This is not, just -- if I can  
5 just get an answer yes or no, this is not something  
you  
6 attempted to do, that is determine how much the levees  
7 were affecting plaintiffs. That is true, isn't it?  
8 A I have explained why --  
9 Q Is that true or false?  
10 A Why we did not do that analysis?  
11 Q I didn't ask you why. I'm asking is it true you  
didn't  
12 attempt to.  
13 A I'm saying it is true, and we've explained the logic  
14 behind those decisions.  
15 Q I'm just asking whether it's true that you didn't  
16 undertake to do this; isn't that correct?  
17 A That is correct.  
18 Q And there are some other things that you didn't do  
19 besides attempting to investigate or study the amount  
of  
20 flooding on plaintiffs' property caused by the levees.  
21 Something else you didn't do besides that is  
investigate  
22 the amount of funds spent to construct or improve the  
23 levees. That's also true, is it not? Yes or no?  
24 A That is absolutely true.

25 Q And you also undertook no investigation of the permit

9804

CROSS - MELONE

1 process to -- up there in Skagit County to determine  
2 what permits, if any, were waived or exempted or  
3 required. That's true, isn't it?  
4 A I am not an expert in the permitting or the funding of  
5 any projects.  
6 Q And you've also undertaken no investigation of  
projects  
7 to determine the amount -- to determine if the levees  
8 were strengthened over time. That's also true, is it  
9 not?  
10 A I have undertaken the analysis necessary to determine  
if  
11 there had been any changes that affect flood levels.  
12 Strengthening a levee, as I testified earlier today,  
13 does not raise a flood level in and of itself.  
14 Q So you didn't look at, as did plaintiffs' experts,  
15 various projects such as depicted on Exhibit 206, the  
16 installation of keyways, the --  
17 A Excuse me, I can't see it very well. You have to turn  
18 it a little bit this way or move it a little bit  
better.  
19 Still can't.  
20 Q Can you come on down here then, Mr. Melone, and if  
21 you'll stand over there by the end of this and speak  
up  
22 so the Court Reporter -- you didn't look at projects,  
23 for instance, historical projects over time that  
24 entailed the installation of a keyway, did you?  
25 A I did not look at any project that did not affect  
flood

9805

CROSS - MELONE

1 levels.  
2 Q So the answer to my question is no, right?  
3 A Of course not.

4 Q And you didn't look at projects that impacted, such as  
5 strengthening, adding fill or ballast to the levees,  
did  
6 you.  
7 A I already testified, strengthening a levee does not  
8 affect --  
9 Q I didn't ask you whether strengthening the levee  
10 affected anything. I asked you whether you looked at  
11 any projects that did this kind of work. Did you look  
12 at any project that did this kind of work?  
13 A I would have no reason to look at those projects.  
14 Q And you didn't look at any projects that dealt with  
15 riprap or armoring the side of the floodway, did you?  
16 A I would have no reason to look at that.  
17 Q Okay. You can resume the stand.  
18 And so, having not looked at any of those  
19 projects, you would not be in a position then to  
explain  
20 to the jury -- this is Exhibit 335 in evidence. It's  
a  
21 summary.  
22 A Again, I can't read it from here. If you can get me a  
23 copy I'd appreciate it.  
24 Q Sure. I'll be happy to.  
25 If you'd take a look at 335, this is an  
overview

9806

CROSS - MELONE

1 of the historical increase in dike flood protection  
2 level in Skagit County starting from 1963 through  
1990,  
3 and it shows in 1963 from Plaintiff's Exhibit 6 that  
4 have there was then a six-year frequency flood  
5 protection level. Do you see that on that exhibit?  
6 A I see that, but I don't know where on the Skagit River  
7 we're referring to.  
8 Q I'll be happy to pull each one of these exhibits, but  
9 I'm not going to keep you here and the jury here to do  
10 it, okay. I'm going to say that's an overall  
evaluation  
11 of the protection level of the system at that time.  
12 MR. SMART: Your Honor, I object. And I think  
13 that he should ask a question rather than make a  
14 statement. There's already been a lot of colloquy  
about

15 this exhibit. There is some disagreement as to what  
it 16 means, where it comes from. If he wants to ask a  
17 question I don't have an objection, but for counsel to  
18 tell the witness what it is isn't a proper question.  
19 MR. HAGENS: I think I'm entitled to summarize  
20 the exhibit and let the jury decide --  
21 THE COURT: The jury will call -- will make a  
22 decision as to whether or not your summarization is  
23 correct.  
24 You may proceed.  
25 Q We're talking about in 1963 about an overall  
protection

9807

CROSS - MELONE

1 level, seven-year frequency of floods, and then we  
move 2 up to 1990 which, at least according to one exhibit at  
3 one time, was characterized as a 40-year event, and  
the 4 levees protected against it in all places except Fir  
5 Island, okay. Are you with me so far, Dr. Melone.  
6 My question is, how do you get from a seven-  
year 7 flood protection level to a 40 -- or at least 25-year  
8 protection level unless you're improving and  
9 strengthening the levees? How do you do that, Dr.  
10 Melone?  
11 A The way you -- first of all, as I've stated, I don't  
12 know where on the Skagit River we're referring to  
here. 13 As I have indicated, the levees, certainly for the  
last 14 40 years, have not been raised for the levee  
extension. 15 For the last 40 years have not changed. What these  
16 numbers mean, I have no idea where they came from,  
what 17 the basis was for putting them in or how they can  
18 justify them if the levee heights have not been  
19 increased.  
20 Q So, actually, your testimony, to be more specific  
21 though, is not that the levees haven't been changed  
but 22 that they haven't been changed or raised anyway north  
of

23 the -- and this is Exhibit 1362 -- north of this point  
24 here, beginning of 1955 levee realignment; isn't that  
25 right?

9808

CROSS - MELONE

1 A That's correct.  
2 Q So you don't know if south of that point there's been  
3 any raising or strengthening the levees, do you, Mr.  
4 Melone, because you weren't asked to study that, did  
5 you, Dr. Melone?  
6 A I have uncovered no documents. The two-mile stretch  
7 which takes in most of our reach here we do have the  
8 data for.  
9 Q So you do have the data for north of this area, that  
is  
10 going up the river?  
11 A A two-mile stretch through there.  
12 Q Right. But you don't have any -- you say you found no  
13 data, you haven't looked for any south of -- allow me  
to  
14 finish the question, please, Dr. Melone -- south of  
this  
15 point, is that correct?  
16 A Yes. I've looked for that data, and I've gotten the  
17 declarations or read the declarations by the dike  
18 district that they have not raised them.  
19 Q Maybe the dike district commissioners could come and  
20 tell us about that.  
21 You're saying there were no keyways put in  
22 anywhere along this section of Dike District 12 and,  
by  
23 the way, this is only part of Dike District 12.  
24 A No, we've already established that I have not  
researched  
25 keyways, and the raising of levees changes flood  
levels.

9809

CROSS - MELONE

1 Q So your testimony is that the only way you can  
increase 2 protection level is by raising the levees; is that  
3 right, Dr. Melone?  
4 A That's true.  
5 Q You can't obtain increased protection by widening the  
6 levees and putting keyways in; is that right?  
7 A I wouldn't call that increased protection.  
8 Q All right. So if the county spent money on this and  
the 9 dike district spent money on these various projects,  
10 millions of dollars over the last 50 years or so, that  
11 would be a waste of money because unless they raised  
12 them it would be a waste of time to do so, is that  
your 13 testimony?  
14 A I don't think I ever heard myself say that, no.  
15 Q That's right. You haven't said that because it  
wouldn't 16 be true. In other words, the reason you put keyways  
in 17 and the reason you add ballast and the reason you put  
18 riprap on, these other projects, raise these -- in  
fact, 19 raise them in some areas, not the area you're talking  
20 about, this very limited area north of the beginning  
of 21 the 1955 levee realignment, but the reason you put  
these 22 keyways in is to strengthen them, prevent failure?  
23 A I don't think anyone's ever designed a levee that they  
24 want to fail.  
25 Q Right.

9810

CROSS - MELONE

1 A So anything you can do to prevent a levee from  
failing, 2 I would support that activity.  
3 Q So at last you agree then that -- this is another  
4 exhibit on file, Dr. Melone. This was produced by the  
5 Skagit County in connection with the advisory  
6 committee's request.  
7 A Excuse me, in connection with what?  
8 Q Advisory committee.  
9 A Of what?

10 Q Skagit County Flood Control Advisory Committee  
requested 11 a map. This is one that was prepared at the request  
of 12 that advisory committee. It's Exhibit 3022. And if  
13 you'll come down here, you'll see where it depicts all  
14 the breaks in the levees -- you see where all these  
15 breaks were -- here's one in 1921, 1932, almost here  
by 16 the Burlington Northern Bridge, 1917. It shows  
earlier 17 breaks in there. Do you see those?  
18 A Yes, I do.  
19 Q And you see further breaks even downstream of what is  
20 called the riverbend down here. 1909, 1994, all the  
way 21 down the river. Is that right?  
22 A Yes, I see those.  
23 Q Including one down here in 1951 in Fir Island it seems  
24 to be down there there's another break in there, right  
25 about where it broke in 1990. Is that about where it

9811

CROSS - MELONE

1 broke in 1990?  
2 A I don't know the exact location of where it broke in  
3 1990.  
4 Q You don't know where it broke in 1990, but you have an  
5 opinion that it didn't have any affect?  
6 A My opinion is based on the data and information at the  
7 site location.  
8 Q We have all these breaks over the past years, but you  
9 don't have any in 1990 or again in 1995, did you, Dr.  
10 Melone?  
11 A I'm not aware of any in 1990 in our area of interest.  
12 Q Okay. You can resume the stand.  
13 A (The witness complies.)  
14 Q And I wanted to show you another exhibit that I think  
15 deals with this question of strengthening levees.  
This 16 is an exhibit that's in evidence, Exhibit 207. You  
17 reviewed, I think, you told me in your deposition  
18 anyway, the 1979 lower levee project, and this is the  
19 General Design Memorandum that was done in connection  
20 with it and -- Carrie, what exhibit number is this?  
21 I'm going to show you Exhibit 984. Now, Mr.  
22 Regan came here who, unlike you, worked for the Army

23 Corps of Engineers for 30 some odd years and was the  
24 lead hydraulic engineer on this project, and told us  
25 about this failure sequence here. In 1979 -- prior to

9812

CROSS - MELONE

1 the 1979 lower levee project, Exhibit 207 and the  
Corps 2 of Engineers had predicted the levee breaking at  
various 3 points. This is Exhibit 984 that I was mentioning.  
It 4 shows the sequence of failures. Would you take a look  
5 at that.  
6 You looked at this lower levee General Design  
7 Memorandum, didn't you?  
8 A Yes, I have.  
9 Q And one of the things he showed on this exhibit were  
10 projected failure errors by the Corps in 1979 when  
this 11 work was done. You'll notice, as he testified, at  
point 12 eleven on Exhibit 207, this point right here, sequence  
13 number eleven, he said that area would fail in a 50-  
year 14 event at 149,000 and in a hundred year event the Corps  
15 predicted it would fail at 150,000. Do you see that  
on 16 the paper you're holding there?  
17 A I see it on the table there. I don't know --  
18 Q And then we had in 1990 152,000 and it didn't break,  
Dr. 19 Melone. How do you explain that if the levees weren't  
20 strengthened considerably in that area?  
21 A I would question anyone's ability to walk out and look  
22 at a levee and say it will fail exactly at 149,000  
cfs, 23 not five cfs more or five cfs less.  
24 Q You know something, Dr. Melone, this, unlike your  
25 testimony, this Exhibit 207 was prepared before this

9813

CROSS - MELONE

1 litigation, not after it started, and this was a good  
2 faith attempt by the Corps of Engineers to predict  
3 sequence of failures that would occur based upon their  
4 knowledge, as the most knowledgeable people in the  
delta  
5 area, or Skagit delta area.  
6 A My answer's the same.  
7 MR. SMART: Objection, Your Honor. That's not  
a  
8 question.  
9 THE COURT: Okay. You may proceed.  
10 Q What you're saying is the Corps of Engineers didn't  
know  
11 what it was talking about when it did all this work in  
12 1979 and Mr. Regan didn't know what he was doing when  
he  
13 did this study back in 1979?  
14 A You may be saying that, but I have never said that.  
15 Q So what we know from this exhibit, Dr. Melone, is in  
16 1979 the Corps predicted that at point eleven would  
fail  
17 at 149 in a 50-year event and 150 cfs in a hundred  
year  
18 event and, in point of fact, it survived both in 1990,  
19 November 25, 1990; isn't that right?  
20 A It survived -- it survived the flow that I think  
21 everyone evaluated it to survive.  
22 Q All right. So let's go on to another area.  
23 The only way you can survive and improve the  
24 protection level is with these keyways and riprap  
25 projects that we've discussed in here. What you're

9814

CROSS - MELONE

1 saying, if I understand you, that the only way to  
2 improve -- to increase the protection level is to  
raise  
3 the levees, and these keyways and these riprap  
projects,  
4 they don't have any effect on levee protection?  
5 A I don't think I said anything like that or was asked  
6 that question. What I have said, it's the raising of  
7 levees that affects flood levels.

8 Q And you understand, do you not -- in fact, I think you  
9 even admitted in the course of your deposition that if  
10 you have a break, there's likely to be some relief in  
11 the Nookachamps-Sterling-Clear Lake area; isn't that  
12 correct?  
13 A Yes, I did say that.  
14 Q The reason for that, it's going to drain that area  
out,  
15 right?  
16 A The reason for that a breach would draw water down in  
17 that local area.  
18 Q So, depending upon when that breach occurred, there  
19 might not be as much water in that area; isn't that  
20 right, Dr. Melone?  
21 A If we have breaches, there would certainly be a  
22 different flow path.  
23 Q And if we don't have breaches you can expect that area  
24 to get flooded more and more. The higher the flood  
25 level, the higher the cfs, the higher the flood level?

9815

CROSS - MELONE

1 A I don't know what you mean by more and more. I think  
2 we're talking less than more. We have a flood  
elevation  
3 if, unfortunately, flood fighting or maintenance was  
not  
4 adequate and you had a levee breach, you would then  
5 obviously flood someone else at lower flood levels.  
6 Q And as one of these exhibits I just showed you, that's  
7 happened over time, hasn't it? There has been  
failures  
8 there?  
9 A I would imagine behind every one of those breaches  
there  
10 would be someone who was impacted.  
11 Q And our clients get impacted every time, according to  
12 you, it gets over 65,000 cfs.  
13 A No, I didn't say that.  
14 Q Some of them do, don't they?  
15 A I would like to repeat what I said.  
16 Q Sure, please go ahead.  
17 A What I said, at about 65,000 cfs water begins to go  
over  
18 bank and flood onto these properties. It's also true  
at

19 65,000 cfs I don't think we are at any of the -- I  
don't  
20 think the levees are even coming into effect at  
65,000.  
21 Q Do you know? What's this "I don't think." You  
haven't  
22 done any studies to determine when the levees come  
into  
23 effect, have you?  
24 A Yes, I have.  
25 Q Go ahead.

9816

CROSS - MELONE

1 A I have looked at a flow of 65,000 cfs in the river and  
2 concluded that that flow is not up against the levees  
in  
3 Dike District 12.  
4 Q That's kind of what the plaintiffs' experts concluded.  
5 Dr. Mutter, using Exhibit 212, said he used as his  
6 benchmark 80,000 feet. Mr. Regan used something like  
7 75,000 cubic feet per second as kind of the benchmark  
8 when the area starts to be flooded by the levees.  
9 That's not something you disagree with, is it, Dr.  
10 Melone?  
11 A It appears to be a reasonable number.  
12 Q And then I heard your testimony, you said the greater  
13 the flow, the greater the elevation. Am I right so  
far?  
14 A That is true.  
15 Q That's a generalization, right?  
16 A Generalization.  
17 Q And here on Exhibit 1366, however, I notice that the  
18 1990 event, which was 152,000 cfs, has -- to use the  
19 defendant's exhibits, at Mount Vernon compared to 144  
20 cfs at Mount Vernon. If I understand what happened  
21 here, this shows the reverse relationship, doesn't it?  
22 A Yes, it does. Up at Sedro Wooley it was the reverse.  
23 Q So this seems to be some kind of aberration then from  
24 more water higher flood elevations general  
proposition;  
25 is that right?

9817

CROSS - MELONE

1 A I wouldn't call it an aberration. I would say in 1951  
2 had higher flood levels for 1990 for a lower flow  
rate.  
3 Q Higher flood levels where?  
4 A At the Sedro Wooley -- in the area of Sedro Wooley.  
5 Q Okay. And then there was -- returning to this  
question  
6 of improvements, and this is a 19 -- Exhibit 174, Mr.  
7 Nelson came and told us, you know, firsthand what's  
been  
8 happening on these levees up there since, I don't  
know,  
9 sometime in the eighties when he went to work for the  
10 county, retiring in March or April of 1991. One of  
the  
11 exhibits the plaintiffs were most interested in was  
12 Exhibit 174, which is a report on December 20th, 1990,  
13 following the November, 1990 floods. And in that  
report  
14 he talks about the improvements. Did you expect Mr.  
15 Nelson to know anything about these improvements up  
16 there, by the way?  
17 A I would expect so.  
18 Q And here he says -- talking about the improvements  
over  
19 time, he says those improvements not only make the  
dikes  
20 "higher" is the word he, used but also stronger in  
order  
21 to minimize seepage and blowouts. You see that? He  
22 says higher. They made them higher, so he would even  
23 comport with your requirement unless they're higher  
you  
24 can't really increase flood elevation levels, so,  
25 according to Mr. Nelson, who was up --

9818

CROSS - MELONE

1 A Are you saying they're higher? Is anybody saying  
2 they're higher?

3 Q Yeah, absolutely some people are saying they're  
higher. 4 In fact, let's get out Mr. Loeb's pictures. And Mr.  
5 Loeb comes down here. He's got pictures. And this is  
6 3066A to C, A, B, C. He's 3066. Do you want to come  
7 down here? You better come down here.  
8 See Loeb there, 3066A to C. And these are in  
9 1997, and he shows us -- this is 3066A. He shows  
right  
10 there, by golly you can see it. Now nobody has to do  
11 any guessing about this, or estimation. There you can  
12 see a level of material having been put at the  
location  
13 of 3066A, right down here at the riverbend area.  
14 MR. SMART: Could we have a question again,  
15 please?  
16 THE COURT: You're right. It's not really a  
17 question.  
18 Q Well, you understand -- can you see that in this  
picture?  
19 A I see that. I'm waiting for the question.  
20 Q The question is, so Mr. Loeb has pictures that show  
us,  
21 in fact, the levees have been raised at least in that  
22 location sometime in 1995.  
23 A In what year?  
24 Q 1995.  
25 A Okay.

9819

CROSS - MELONE

1 Q So when you asked has anybody said they have been  
2 raised, yeah, we have evidence of the actual pictures  
of  
3 having done so. Do you have any reason to dispute  
this?  
4 A The important point is, that location on the river has  
5 not affected flood levels in our area.  
6 Q So now it becomes the location of the raising?  
7 A Of course.  
8 Q And you're saying anything done down here, downriver  
9 from the Burlington Northern Bridge, had no effect on  
10 the plaintiffs, even though you didn't undertake to --  
11 what the -- you didn't undertake to study or  
investigate  
12 what the effect of the levees were on plaintiffs all  
of

13 a sudden downstream of the Burlington Northern Bridge,  
14 is that your testimony?  
15 A No, our testimony is we studied it in great detail and  
16 demonstrated that there were no impacts at the USGS  
17 gauge by any activity downstream of that location.  
18 Q Did you take the levees out and determine what the  
19 effect would have been if you'd taken those levees  
out?  
20 A That is not the only way to do that analysis. We  
worked  
21 with the real data, the real recorded data.  
22 Q You're not suggesting that Dr. Mutter didn't work with  
23 the real recorded data, are you, Dr. Melone?  
24 A I am saying if he looked at the same data I did and  
did  
25 the same analysis I did, I'm confident he would have  
the

9820

CROSS - MELONE

1 same conclusion.  
2 Q Let me see if I can understand. You didn't take the  
3 dikes out anywhere along the river and try to then  
4 determine how much they were contributing to the  
5 plaintiffs' flooding, did you?  
6 A We established that that part of the river did not  
7 impact our study reach.  
8 Q Let me ask you again.  
9 A That is the answer to the question.  
10 Q You didn't take the dikes out and then determine what  
11 the effect of flooding would have been, and I'm  
talking  
12 about all the dikes down the entire Skagit River, as  
did  
13 Dr. Mutter, and then determine the effect, if any, of  
14 flood levels on plaintiff. You didn't do you that,  
did  
15 you?  
16 A No, and I think we've explained that.  
17 Q If you didn't take the dikes out, how is it that you  
18 know that this area that is shown in here downriver  
from  
19 the Burlington Northern Bridge had no effect on the  
20 plaintiff? If you didn't take them out, how do you  
know  
21 that?  
22 A We know that by looking at the recorded record.

23 Q And the recorded record is the gauge at the Burlington  
24 Northern Bridge and the -- what do you call it, the --  
25 what's this thing called, the rating curve, is that  
what

9821

CROSS - MELONE

1 you're telling me?  
2 A No, I'm talking about the USGS gauge at the Riverside  
3 Bridge.  
4 Q And the rating curve?  
5 A We're talking about the rating curve and we're talking  
6 about the record of the recorded flood in 1990.  
7 Q Right.  
8 A We are talking specifically about the 1990 flood.  
9 Q I understand that, and you're saying the rating curve  
10 tells you -- and I want to stop you. I want you to  
tell  
11 the jury, if you wanted to run Dr. Mutter's model just  
12 as he had done it to see whether he had done it right,  
13 your computer would have let you do it, wouldn't it?  
14 Your computer had the capacity to do that, didn't it,  
15 Dr. Melone?  
16 A We have very good computers.  
17 Q And you have could have run exactly the same computer  
18 program that the plaintiffs' expert did, couldn't you?  
19 A I did not have any interest in doing it.  
20 Q I didn't ask if you had any interest. You could have  
21 done it?  
22 A Could have what?  
23 Q Got it exactly the same?  
24 A And got exactly the same results?  
25 Q You could have checked to see if he had done it right?

9822

CROSS - MELONE

1 A I could have. I don't understand the question.  
2 Q Certainly you understand the question. You could have  
3 done the same analytical approach --  
4 A I guess I don't understand.

5 Q -- that Dr. Mutter did.  
6 A I guess I don't understand why I would have done it.  
7 Q I didn't ask if you understand why. Removed all the  
8 levees with the computer, you could have done that?  
9 A If I was interested in doing that I could have done  
that.  
10 Q But you didn't do that?  
11 A Wasn't interested.  
12 Q Right. You weren't interested in it because it might  
13 corroborate what Dr. Mutter did; isn't that right, Dr.  
14 Melone?  
15 A No.  
16 Q In fact, what's the point of running a computer model  
--  
17 I know what point -- strike that.  
18 You ran a computer model to tell us what the  
19 bridge -- the problem that the debris at the bridge  
was  
20 causing?  
21 A No, we ran a computer model to calculate flood depths  
22 throughout the study area.  
23 Q You focused not on the dikes but you focused on the  
24 Burlington Northern Bridge, and why did you do that?  
So  
25 you could point the finger at somebody that's not  
here?

9823

CROSS - MELONE

1 A That is not true.  
2 Q What did you do it for?  
3 A What did I do what for?  
4 Q Concentrate on the Burlington Northern Bridge?  
5 MR. SMART: Again, the witness is not being  
6 allowed to answer the question.  
7 MR. HAGENS: I'll try to slow down.  
8 Q It's the one thing you did run your computer on, you  
did  
9 focus on your computer on the effect of the buildup of  
10 debris at the Burlington Northern Bridge. You didn't  
11 run it for that purpose, did you, Dr. Melone?  
12 A You asked me two questions. The answer to the first  
13 question is no, that was not the focus of our modeling  
14 effort. And, two, one of the things we did was  
15 investigate the effect of debris.  
16 Q And you used your model to do that, didn't you?  
17 A Yes, we did.

four 18 Q And it told you that there might have been seven to  
19 inches of flooding caused by the debris at the  
20 Burlington Northern Bridge; isn't that right?  
21 A That's correct.  
22 Q That allows you to point the finger at somebody who is  
23 not here?  
24 A I'm not aware I pointed the finger at anyone.  
25 Q Then why do it? Why bother?

9824

CROSS - MELONE

And 1 A We're developing an understanding of the hydraulics of  
of 2 the river system during the November, 1990, flood.  
3 with that understanding we also looked at the effects  
4 structures and features on the flood plain that affect  
5 flood levels, and collectively and cumulatively there  
6 are many structures, not just the bridge.  
7 Q I don't understand why you'd bother to look at the  
8 Burlington Northern Bridge and the debris with your  
9 computer model and not tell us whether Dr. Mutter had  
10 done a good job according to you, Dr. Melone.  
11 A I think the answer is simple, and that answer is  
12 consistent with Dr. Mutter, why would he only take the  
of 13 levees out and totally ignore the time frame and all  
14 the other activities and structures that it impacted,  
15 why would he do -- it's an interesting exercise that  
16 does not, in my opinion, take us to a base case of no  
17 levees.  
you 18 Q I was hopeful we'd get to that, Dr. Melone, because  
19 know who would be the first person in here complaining  
20 we hadn't done it right if we had gone back, put the  
21 forest in, taken the reservoirs out, who would be the  
it 22 first person in here complaining about we hadn't done  
23 right, Highway 20 which was there, we didn't have the  
we 24 I-5 Bridge in there during the 1990 floods, of course  
25 didn't have the Burlington Northern Bridge or grade in

9825

CROSS - MELONE

1 there, because we went back to the beginning of time,  
2 who do you think would be the first person complaining  
3 we hadn't done it right?  
4 MR. SMART: Objection. Calls for speculation.  
5 MR. HAGENS: Doesn't call for any speculation  
at  
6 all. We know who would be telling us we didn't have  
it  
7 right.  
8 MR. SMART: We're not having a question.  
We're  
9 having an exposition.  
10 THE COURT: There's a question implicit it in.  
11 MR. SMART: Calls for speculation.  
12 Q Who do you think would be telling us -- saying we  
didn't  
13 do it right?  
14 A We'd be sitting here telling you you had done it  
right.  
15 Q That's because you've done it that way so you know  
16 that's the right way?  
17 A No, that's my opinion of the right way.  
18 Q You didn't do it that way so you don't know whether it  
19 is the right way, do you?  
20 A It's not a "did I do it" question. The question is  
what  
21 would be the best way to do it, the proper way to do  
22 this to establish a base case. That's the question.  
23 Q Let's go on to another -- and that's where you and Dr.  
24 Mutter may disagree.  
25 And on this question I wanted to ask you if

9826

CROSS - MELONE

1 you've seen Exhibit 469, which I know is here  
someplace  
2 hiding out. I think you may have had something to do  
3 with this one, Dr. Mutter -- excuse me, Dr. Melone.  
4 Now, shortly before this case started for  
trial,

5 the county -- shortly before this case started to  
trial 6 the county, on November 21st, amended one of their  
7 responses to their requests for admissions, request  
for 8 admission asked "Absent the Skagit County diking  
system 9 there would be significant decrease in water surface  
10 elevation. "  
11 A Are you starting on the first page?  
12 Q I'm starting on Page 2, Request for Admission No. 2.  
13 I'm starting on line eight, Page 2.  
14 A Okay.  
15 Q Request for Admission No. 2. "Absent the Skagit  
County 16 diking system there would be a significant decrease in  
17 water surface elevation upon some or all the  
plaintiffs 18 property during significant flood events comparable to  
19 those that occurred in Skagit County in November,  
1998." 20 A It's probably meant to be 1990, but I know what you  
21 mean.  
22 Q That's correct, and they point out in their response  
23 that it was an obvious error.  
24 Then, as you see on page three, their revised  
25 response was?

9827

CROSS - MELONE

1 A Which line?  
2 Q Starting at line seven, "Skagit County admits that  
3 absent the levees owned by Diking District Number 12,  
4 and assuming all other geographic and environmental  
5 conditions are the same as they currently exist, such  
as 6 the removal of forest cover, there would be a  
7 significant decrease in water surface elevation upon  
8 some or all of the plaintiffs' property during  
9 significant flooding events comparable to those that  
10 occurred in Skagit County in November, 1990."  
11 And you would agree with that, would you not,  
12 Dr. Melone?  
13 A I would agree if we did an exercise that just removed  
14 the levees, kept everything else the same, ignored all  
15 the things that have changed in the valley through the

16 years, that the removal of the levees and doing  
nothing  
17 else, and ignoring the time period for when there was  
a  
18 time of levees that we would have, as it says here, a  
19 decrease in flood levels.  
20 Q Okay. In fact, you were one of the reasons, I  
suppose,  
21 for amending that, because that's not a proposition  
you  
22 can disagree with, is it, Dr. Melone?  
23 A As I've just answered the question, I agree with it.  
24 Q A few more questions before I quit for the day. You  
25 can't tell the jury what amount of flooding is caused  
by

9828

CROSS - MELONE

1 the levees. You point at the Burlington Northern  
2 Railroad bridge. You didn't study the effect --  
3 A Go ahead.  
4 Q You didn't study the effect of -- you -- strike that.  
5 You didn't study the funding of the various projects  
and  
6 the strengthening of these projects over time and, in  
7 point of fact, your marching instructions, scope of  
your  
8 work if you will, in this case was not selected by you  
9 but was contrived by counsel for Skagit County; isn't  
10 that correct, Dr. Melone?  
11 A I don't think scope of work or contrived scope of  
works  
12 are drafted by a client.  
13 Q Let me put it to you this way. You had your  
deposition  
14 conducted on December 4, 1995, correct?  
15 A If that's the date.  
16 MR. HAGENS: We'd move to publish his  
17 deposition, Your Honor.  
18 THE COURT: All right.  
19 Q And, in point of fact, at that time I asked you, well,  
20 why hadn't you studied the effects of the levees on  
the  
21 degree of flooding at plaintiffs' property and why did  
22 you look at the Burlington Northern Bridge as opposed  
to

23 the levees, and other questions of that nature, and  
then 24 I finally asked you why didn't you look at those  
items, 25 and you said, in point of fact, something to the  
effect

9829

CROSS - MELONE

1 that the attorneys had set out the scope of your work,  
2 as opposed to you, a professional, setting out the  
scope 3 of your work. Isn't that a correct paraphrase of what  
4 you said in that deposition?  
5 A I think that's true for any client relationship.  
6 Q So you think that the attorney should tell the expert  
7 hydraulic engineer what to do and how to do it,  
8 including what not to do; isn't that right, Dr.  
Melone?  
9 A I don't think I've ever said that, nor do I agree with  
10 it.  
11 Q Isn't that what it gets down to?  
12 A I didn't agree with it, and I don't now.  
13 Q Let me give you your deposition and ask you to take a  
14 look at page 172. Let me ask you if you gave these  
15 answers to those questions back in December 4, 1995.  
16 You got page 72 --  
17 A Yes, I do.  
18 Q -- in front of you? I'm starting at line nine.  
19 QUESTION: What I'm trying to get a  
20 handle on, Mr. Melone, is who determined the  
21 scope of the work you were to do in this  
22 case, you or the attorneys who are not  
23 hydrological engineers.  
24 ANSWER: The attorneys instructed me --  
25 asked me questions. I undertook the work to

9830

CROSS - MELONE

1 answer those questions.

2 QUESTION: So they formed the focus or  
3 the scope of the work you were to do in this  
4 case; is that correct?  
5 ANSWER: That is correct.  
6 QUESTION: If you had to determine --  
7 I'm just going to ask you, are those the answers you  
8 gave to those questions at that time?  
9 A That's correct.  
10 Q Okay.  
11 A In addition to the next one you stopped reading.  
12 Q I'm sure your counsel will be happy to bring that out.  
13 MR. SMART: Your Honor, I think it would be  
14 worthwhile to read the next question and answer.  
15 MR. HAGENS: He can bring it out, Your Honor.  
16 THE COURT: You may do so when it's your turn.  
17 Q So I wanted to get back then -- perhaps this is a good  
18 time to quit, Your Honor.  
19 THE COURT: All right. We'll take our leave  
20 this afternoon.  
21 Folks, we do have a -- we have some motions  
22 again in this case, pretrial -- pre-testimonial  
23 materials to take care of tomorrow morning, so,  
counsel,  
24 what's your best guess on the length of those matters  
in  
25 the morning, when you consider all of them in total?

9831

CROSS - MELONE

1 MR. HAGENS: I think, for our side, some I can  
2 get done in very short -- two or three minutes, but  
3 others -- 30 minutes, 40 minutes would be my estimate.  
4 MR. SMART: For the total?  
5 MR. HAGENS: Total.  
6 THE COURT: That's probably about right.  
7 MR. SMART: For all of us.  
8 THE COURT: Ladies and gentlemen, if you'll be  
9 in the jury room at 9:55, we'll make sure that we've  
10 gotten our work done and we can just go to work where  
11 you're concerned, instead of having what happened this  
12 morning which, candidly, in thinking about it before,  
13 there was a motion, which I didn't mention that was  
14 brought to my attention this morning for the very  
first  
15 time, so we didn't really know it was coming, it was  
16 properly done and didn't involve anybody here, so  
that's

17                   why we had a little bit of a late start this morning.  
18                    We'll try to get this thing done by 9:45  
19                   tomorrow and get you ready to go by ten o'clock.  
20                    And you'll come back whenever the attorneys  
tell  
21                   you, and we'll see everybody then again tomorrow.  
22                    All right. Thank you.  
23                    (Court was adjourned.)  
24  
25

9832